

FILE

Gregory T. Hussing

BEFORE THE PUBLIC UTILITIES COMMISSION OF OHIO

In the Matter of the :
Application of Ohio Edison :
Company, The Cleveland :
Electric Illuminating :
Company, and The Toledo :
Edison Company for :
Authority to Establish a : Case No. 08-935-EL-SSO
Standard Service Offer :
Pursuant to RC 4928.143 :
in the Form of an :
Electric Security Plan. :

DEPOSITION

of Gregory F. Hussing, taken before me, Maria DiPaolo
Jones, a Notary Public in and for the State of Ohio,
at the offices of FirstEnergy, 76 South Main Street,
Akron, Ohio, on Tuesday, September 23, 2008, at 4:30
p.m.

VOLUME I

ARMSTRONG & OKEY, INC.
185 South Fifth Street, Suite 101
Columbus, Ohio 43215-5201
(614) 224-9481 - (800) 223-9481
FAX - (614) 224-5724

COPY

To certify that the images appearing are an
accurate and complete reproduction of a case file
document delivered in the regular course of business.

Notary Public Date Processed

2008 OCT 10 PM 2:31

PUCO

RECEIVED-DOCKETING DIV

1 APPEARANCE:

2 Mr. Mark A. Hayden
3 FirstEnergy
4 76 South Main Street
5 Akron, Ohio 44308

6 On behalf of the FirstEnergy companies.

7 Bricker & Eckler, LLP
8 By Mr. E. Brett Breitschwerdt
9 100 South Third Street
10 Columbus, Ohio 43215-4291

11 On behalf of NOPEC and Ohio Schools
12 Council.

13 APPEARANCES VIA SPEAKERPHONE:

14 Janine L. Migden-Ostrander
15 Ohio Consumers' Counsel
16 By Mr. Gregory J. Poulos
17 Assistant Consumers' Counsel
18 Ten West Broad Street, Suite 1800
19 Columbus, Ohio 43215-3485

20 On behalf of the Residential
21 Ratepayers of FirstEnergy.

22 Vorys, Sater, Seymour & Pease, LLP
23 By Mr. M. Howard Petricoff
24 52 East Gay Street
Columbus, Ohio 43216-1008

On behalf of Constellation NewEnergy.

McNees, Wallace & Nurick, LLC
By Ms. Lisa McAlister
Fifth Third Center, Suite 1700
21 East State Street
Columbus, Ohio 43215-4288

On behalf of the Industrial Energy
Users-Ohio.

APPEARANCES VIA SPEAKERPHONE (continued):

Bell & Royer Co., LPA
By Mr. Langdon Bell
33 South Grant Avenue
Columbus, Ohio 43215

On behalf of the Ohio Manufacturers
Association.

Bell & Royer Co., LPA
By Mr. Barth E. Royer
33 South Grant Avenue
Columbus, Ohio 43215-3900

On behalf of the Ohio Environmental
Council.

Schottenstein, Zox & Dunn Co., LPA
By Mr. Christopher Miller
250 West Street, Suite 700
Columbus, Ohio 43215

On behalf of the city of Cleveland.

Brickfield, Burchette, Ritts & Stone, PC
By Mr. Michael K. Lavanga
1025 Thomas Jefferson Street, NW
8th Floor, West Tower
Washington, D.C. 20007

On behalf of Nucor Steel Marion, Inc.

Bailey Cavalieri, LLC
By Mr. Dane Stinson
One Columbus
Ten West Broad Street, Suite 2100
Columbus, Ohio 43215-3422

On behalf of the FPL Energy Power
Marketing and Gexa Energy Holdings, LLC.

ALSO PRESENT:

Mr. Dylan Sullivan, NRDC.

- - -

1 Tuesday Afternoon Session,

2 September 23, 2008.

3 - - -

4 MR. HAYDEN: Why don't we go ahead and
5 take appearances, abbreviated is fine. This is Mark
6 Hayden on behalf of the companies.

7 MR. BREITSCHWERDT: Brett Breitschwerdt
8 on behalf of NOPEC and the Ohio Schools Council.

9 MR. HAYDEN: And that is everybody from
10 here in Akron. Those on the phone?

11 MR. POULOS: Greg Poulos from Ohio
12 Consumers' Counsel.

13 MR. PETRICOFF: Howard Petricoff for
14 Constellation NewEnergy.

15 MR. ROYER: Barth Royer for the Ohio
16 Environmental Council.

17 MR. LAVANGA: Mike Lavanga for Nucor
18 Steel Marion.

19 MR. SULLIVAN: Dylan Sullivan, NRDC.

20 MR. MILLER: Chris Miller for the city of
21 Cleveland.

22 MR. STINSON: Dane Stinson for FPLE Power
23 Marketing and Gexa Energy Holdings.

24 MS. McALISTER: Lisa McAlister for

1 Industrial Energy Users-Ohio.

2 MR. BELL: Lang Bell for the Ohio
3 Manufacturers Association.

4 MR. HAYDEN: Anybody else?

5 (No response.)

6 (Witness sworn.)

7 MR. HAYDEN: All right, Howard, you're
8 up.

9 MR. PETRICOFF: Thank you.

10 - - -

11 GREGORY F. HUSSING
12 being by me first duly sworn, as hereinafter
13 certified, deposes and says as follows:

14 EXAMINATION

15 By Mr. Petricoff:

16 Q. Good afternoon, Mr. Hussing.

17 A. Good afternoon.

18 Q. Do you have your testimony close at hand?

19 A. Yes, I do.

20 Q. Including Schedule 1?

21 A. Yes, I do.

22 Q. Thank you. If you would, turn to page 3
23 of your testimony, and I'd like to point your
24 attention here to lines 22 and 23 and this is where

1 we're talking about the billing determinants as was
2 used in Schedule 1. I just have a couple of
3 questions to make sure that I understand how this was
4 done. The billing determinants that you refer to
5 here and referred to in Schedules 1a through 1c,
6 those were taken from the 07-551-EL-AIR rate case?

7 A. That is correct.

8 Q. And those were three-month actuals and
9 nine-month projections?

10 A. That is correct.

11 Q. Do you recall what the nine months, how
12 far the nine months projected went out?

13 A. The actuals were March, April, May, so
14 the rest of the nine months would be estimate.

15 Q. Have we run through that time period now?
16 Do you now have actuals for the whole 12-month
17 period?

18 A. Not as part of this filing.

19 Q. No, but let's see if we had nine
20 months -- so you say that it was March, April, May
21 were the actuals, of what calendar year?

22 A. One moment.

23 Q. Sure.

24 A. That would be 2007.

1 Q. Okay. So by now the full data for the
2 test year is in for the 07-511 [sic] case?

3 A. That is correct.

4 Q. Were actuals filed in that rate case for
5 the projected period?

6 A. Yes.

7 Q. Are you familiar with the actuals that
8 were filed?

9 A. I don't have them in front of me.

10 Q. But do you recall them at all?

11 A. What do you mean by "recall"?

12 Q. Well, let me ask you another question.
13 Do you recall whether or not the actuals were close
14 to the nine-month projected?

15 A. I don't have the numbers in front of me.

16 Q. And I take it you don't recall whether or
17 not they were close or they were far away from the
18 projections.

19 A. No, I do not.

20 Q. Talking about the billing determinants
21 that we have in Schedule 1, am I correct that all of
22 the data that we see in 1, the billing determinants
23 in the data we see in Schedule 1 assumes there is no
24 shopping?

1 A. That is correct.

2 Q. And I see that we have, when I look at
3 Schedule 1, turn to Schedule 1, I see that there
4 is -- there appears to be numbers up at the top where
5 it says "Rate Impact" and there's a page number.

6 A. Do you want me to refer to a Schedule 1?

7 Q. Yeah, if you would. Refer to Schedule 1.
8 And let's refer to the 2008-2009 Rate Impact page
9 which would say "Rate Impact" at the top and a "(1)."

10 A. Do you have a particular company?

11 Q. Yes, I think that page -- at page 1, the
12 one that says "Rate Impact (1)" which would be the
13 first page of 15 would be the Ohio Edison Company.

14 A. Okay. I have it in front of me, Howard.

15 Q. Okay. Given our last answer I assume
16 that if I look down the column that says "kWh Sales,"
17 those are the total projected sales from the 05 --
18 I'm sorry, 07-511 rate case for all the
19 kilowatt-hours for Ohio Edison in the test year.

20 A. That is correct.

21 Q. And I assume, then, if I summed what we
22 have on what is Rate Impact page (1) and Rate Impact
23 page (16) which would be Cleveland Electric
24 Illuminating, and Rate Impact page, let's see here,

1 (33), that I would have all the kilowatt-hours for
2 all three of the operating companies.

3 A. That is correct.

4 Q. And let's go back, then, and look at Rate
5 Impact page No. (1) and we have a line, this will be
6 Column F, Revenues. Do you see Column F?

7 A. Yes, I do.

8 Q. Okay. Do those revenues include any of
9 the riders that are requested in the ESP application?

10 A. No, they do not.

11 Q. If you would, turn to page (2), that is
12 Rate Impact and then the "(2)" which would be the
13 next page afterwards. And we should be looking at a
14 sheet for the residential service.

15 A. Howard, just to go back to the last
16 question --

17 Q. Yes.

18 A. -- the transmission rider is in both '08
19 and then there's a transmission, the same amount of
20 transmission revenue in the '09 number.

21 Q. So the revenue numbers in '08 and '09 do
22 have transmission in them. Let me have you turn back
23 now to Rate Impact (2) and if I go across, there's a
24 number of riders that are listed between lines 35 and

1 55, and there are some revenue figures that are
2 listed in column F of the Rate Impacts (2). Are any
3 of those revenues -- oh, I see. Okay, those are
4 carried over. Aside transmission those are all
5 carried over in the 2009 Column H, if I go back to
6 Rate Impact (1)?

7 A. Rate Impact (1), Column H, is the summary
8 of Rate Impact (2), Column F.

9 Q. So bottom line here then is for 2008 we
10 have no riders in the revenues save for the
11 transmission, and for 2009 we have whatever is picked
12 up on the subsequent rate impact pages.

13 A. Well, I want to look at, when you're
14 looking at Rate Impact page (2), what would be
15 consistent between '08 and '09 is you have kilowatt
16 tax, you have transmission.

17 Q. Oh, okay. I'm glad you pointed that out.
18 That's right, the kilowatt tax would be a rider too.
19 Okay, that's very helpful, thank you.

20 Let's turn back to your testimony, then,
21 and I'd like you to go to page 5, and on line 8 you
22 have "The second major consideration is to
23 incorporate the concept of gradualism in the
24 transition from historic rates and structures to the

1 proposed rate classifications and components of the
2 ESP." These are lines 7 to 9. Do you see that
3 language?

4 A. Yes, I do.

5 Q. Okay. I'd like to explore that concept
6 of gradualism with you. Does the company have any
7 criteria that it uses to determine at what point
8 increase exceeds gradualism and needs an adjustment
9 and what point is just an increase that doesn't
10 require treatment under this concept of gradualism?

11 A. Gradualism in this case was more of a
12 process than a specific number.

13 Q. When you say "more of a process," take me
14 through, what was the process?

15 A. We looked at the percent increases
16 without any effects of mitigation, at the specific
17 rate schedules, and deemed to make some adjustments.

18 Q. Did you have a bright-line test such as,
19 you know, start making adjustments after 10 percent
20 or after 12 percent?

21 A. No, I did not.

22 Q. Are they all consistent? Is there a
23 level that -- when you look back at all of the rates
24 that you applied adjustment to, were they all over X

1 percent?

2 A. No.

3 Q. Was each individual rate, then, an
4 individual decision as to whether you thought
5 gradualism should be applied?

6 A. Can you rephrase that?

7 Q. Sure. I'm trying to figure out what
8 criteria that you used, and I understand you didn't
9 use a bright line percentage test, what criteria that
10 you used to decide this is a rate that needs
11 gradualism in its implementation versus this is a
12 rate that doesn't need it. Were there any criteria
13 you used at all?

14 A. I compared the percent increases of the
15 rate schedules to each other to determine which
16 schedules I would look into mitigating.

17 Q. Did you have sort of an informal test,
18 though, that anything under 5 would be okay?

19 A. No.

20 Q. Well, let me ask you the question
21 directly. If you saw an increase of 5 percent, would
22 that call out for gradualism?

23 A. Once again, it was comparative to the
24 other schedules.

1 Q. Okay. So you're looking to see how much
2 they increased vis-a-vis how much other schedules
3 increased?

4 A. That is correct.

5 Q. Was there any bright line or criteria
6 that you used in this sort of relative comparison to
7 pick out those to which you were going to apply
8 gradualism?

9 A. The schedules -- no, there wasn't a
10 bright line.

11 Q. Fair to say it was more of a
12 gastronomic type approach, this one just looked like
13 it was way out of line so we approached it as opposed
14 to we had these numeric tests?

15 MR. HAYDEN: Hold on, Howard, I didn't
16 hear the term you used. I'm sorry.

17 MR. PETRICOFF: A gastronomic. A gut
18 feeling.

19 MR. HAYDEN: Okay.

20 A. No, it wasn't a gut feeling.

21 Q. What criteria did you use, then?

22 A. It was comparative, the percent increase
23 was comparative to the other rate schedules'
24 increase.

1 Q. Okay, I think I follow you that far. But
2 you would have -- wouldn't you have a comparative
3 number for every rate relatively speaking that
4 increased by a certain amount? How did you single
5 out those that were going to get gradualism type
6 treatment?

7 A. They were larger than the other rate
8 schedules, comparatively speaking.

9 Q. Well, let me just finish this up, then.
10 But there was no bright-line test of how much more --
11 how much greater the increase had to be before you
12 implemented gradualism. There was no defined test.

13 A. That is correct.

14 Q. Okay. When the company applies
15 gradualism, is it preordained that they're going
16 to -- that the customer eventually is going to be
17 brought up to the full rates?

18 A. Over time that is correct.

19 Q. Is there an amount of time that's sort of
20 set aside that is where everyone has to be caught up
21 in five years or ten years, any rule like that?

22 A. No, there is not.

23 Q. But is the goal to have everyone
24 eventually caught up?

1 A. Caught up to what?

2 Q. Caught up to what their full rate would
3 be.

4 A. The tariff rate?

5 Q. The tariff rate.

6 A. That is correct.

7 Q. Actually, we better clarify that tariff
8 rate because under gradualism the reduced amount from
9 what the billing determinants would have otherwise
10 produced is the tariff rate; isn't that true?

11 A. Can you say that again, Howard?

12 Q. Sure. If we're applying gradualism, the
13 actual tariff, we go to look up the tariff, you know,
14 at the Commission, it will be the rate that that
15 customer's being charged which will have the discount
16 in it, correct?

17 A. If the discount is a tariff.

18 Q. Right, if the discount is a tariff.

19 Well, let me ask you this question: In
20 the ESP application as it is are all of the
21 reductions that are established under this policy of
22 gradualism, are they all rider credits, or are they
23 adjusted rates?

24 A. They are rider credits and also rider

1 charges.

2 Q. So for every customer who is getting a
3 rate adjustment due to gradualism, we should be able
4 to find an individual rider that implements that.

5 A. Yes.

6 Q. Let me direct you now to page 7 of your
7 testimony. I want to direct you to lines 11 to 22,
8 this is the distribution service rider.

9 A. Okay.

10 Q. And I notice that the purpose of the
11 distribution service rider is to catch up what
12 appears to be a five-month gap between the
13 implementation for CEI and Toledo Edison customers
14 and the implementation of the new rates or -- I'm
15 sorry, I misspoke. Let me start again.

16 The distribution service rider appears to
17 be a rate component designed to account for the
18 difference in the implementation of the new rates
19 between Ohio Edison and Toledo Edison that start on
20 January 1st, 2009, and CEI that starts on May
21 1st, 2009.

22 A. That is correct.

23 Q. And my question -- right. And my
24 question for you is what created the five-month gap,

1 if you know?

2 A. Well, it's January through April is the
3 distribution service rider.

4 Q. Make that a four-month gap then.

5 A. Okay. This is -- the distribution rates
6 for CEI do not change until May 1st, so the -- but
7 on January 1st the generation related and
8 transmission related schedules go into effect under
9 the new rate schedules, RS, GS, GP, GT, the eight new
10 rate schedules. So the customer will be billed
11 under -- January 1st under the new rate schedules
12 for generation and transmission, and the riders, all
13 riders except the distribution portion will be billed
14 under the old rates, the existing distribution rates.

15 So the distribution service rider is just
16 really the existing distribution tariff information
17 moved into a rider.

18 Q. That's helpful, thank you. Now I'd like
19 to turn to page, the green resource rider, line 11 of
20 your testimony. In there you indicate that the RECs,
21 R-E-C, set forth in the tariff will be determined by
22 a competitive bidding process plus an administrative
23 cost. I'd like to ask you now how will that
24 administrative cost be determined?

1 A. The company isn't proposing to add any
2 existing administrative cost. That administrative
3 cost was already part of the initial REC -- REC
4 filing.

5 Q. You say "original REC filing. That's the
6 06-1112-EL-UNC case?

7 A. Yes. So if you would look into that
8 case, that determines the process for setting the REC
9 price.

10 Q. Is this administrative cost an
11 administrative fee that is collected by and kept for
12 administrative costs from FirstEnergy?

13 A. It was administrative costs to procure
14 the RECs and administrate the program.

15 Q. But that program was administered by
16 FirstEnergy as opposed to by a third-party
17 consultant?

18 A. That is correct.

19 Q. If you recall, how was that
20 administrative cost calculated?

21 A. It was a fixed number. Part of the
22 Commission order.

23 Q. Next I'd like to take you to -- staying
24 on page 8 to lines 17 to 23, this is the economic

1 development rider, and if you would, explain to me
2 how the series of credits and charges are going to
3 work mechanically in order to implement this economic
4 development rider.

5 A. They're administered -- you know, the
6 mechanics are shown on the economic development rider
7 itself.

8 Q. When you say "the rider itself," in the
9 tariff in, I can't think offhand -- we have the
10 tariffs in like the 3 series, 3a, b --

11 A. Yes.

12 Q. -- 3b, 3c.

13 A. Yes.

14 Q. Let me just take you down one level of
15 granularity there. What is the residential
16 nonstandard credit provision? How is that applied?
17 Or what does that consist of?

18 A. The residential nonstandard credit
19 provision applies to, in general, you know, those
20 customers that are nonstandard which are water
21 heating and electric heating customers and it's
22 applying a credit to them in the winter for
23 kilowatt-hours over 500.

24 Q. I notice that we have a residential

1 distribution credit rider. How does that differ from
2 this economic development rider?

3 A. The residential distribution credit rider
4 is applying a credit for distribution where the
5 economic development rider is providing a revenue
6 neutral to the company movement on generation.

7 Q. So for that group the electric water
8 heaters, for example, that basically these pair up,
9 the distribution credit rider is the difference for
10 the wires charge and the economic development rider
11 is the difference for the energy.

12 A. That's correct.

13 Q. If you would now turn to page 10, lines
14 17 to 20, this is the demand-side management and
15 energy efficiency rider, and I see that this rider
16 picks up the lost distribution revenues, so the wires
17 charges revenues. Is there something similar to that
18 that would pick up the energy?

19 A. Can you clarify what you mean by
20 "energy"?

21 Q. Sure. Just as we just went through on
22 the last one with the electric water heater, and I
23 understand that that's a historic one, but we had a
24 customer that was receiving a discount and we had the

1 rider that picked up the wires charges and then we
2 had our economic development one which picked up the
3 lost revenues for generation.

4 Here we have, I see where we have --
5 where we're picking up the wires on the lost revenue
6 due to the reduced usage, and my question to you, is
7 there something that's corresponding that would pick
8 up the lost, I guess we have to call it revenue
9 opportunity because we're talking about a
10 conservation here, the lost revenue opportunity on
11 the generation that's not going to be sold?

12 A. The only lost revenues as far as -- is
13 distribution revenues in the demand-side management
14 rider.

15 Q. I see. Okay. If you would now I'd like
16 to direct you to I guess page 11 -- excuse me for
17 just one minute. I want to make sure I've got the
18 right citation here.

19 Okay. I take it back. I have another
20 question for you while we're here on page 10. This
21 demand-side management rider I see is nonbypassable;
22 is that correct?

23 A. That is correct.

24 Q. However, there is a provision where you

1 can avoid it, this is on page 11 at the top lines 1
2 through 4. You can avoid it if you are the site of a
3 conservation project for which the company is able to
4 secure credits under its conservation obligations,
5 and my question before you here is if a shopping
6 customer could effectuate the bypass if, in fact,
7 they were part of a conservation program that was
8 customer sited.

9 A. The rider's applicability would be per
10 the terms of the application or the requirements of
11 the rider, per the terms of the rider.

12 Q. And do you have an opinion on this
13 situation, what if I am a customer who is shopping
14 and I engage in a conservation program such as --
15 with the company which would qualify for the company
16 to get -- to count the conservation, let's say I
17 change out my light bulbs and put in more energy
18 effective ones, would I be able to bypass this charge
19 even though I am purchasing from a competitive
20 electric retail supplier?

21 A. Per the terms of the rider it does not
22 preclude the avoidance based on shopping status.

23 Q. So the answer is "yes"?

24 A. Yes.

1 MR. PETRICOFF: I believe that's all I
2 have for you. Thank you very much.

3 And, Greg, thank you for allowing me to
4 lead this off.

5 MR. POULOS: Not a problem.

6 - - -

7 EXAMINATION

8 By Mr. Poulos:

9 Q. Good evening, Mr. Hussing. This is Greg
10 Poulos from OCC. Hello.

11 A. Yes, I'm still here.

12 Q. Can you hear me?

13 A. Yes.

14 Q. Just because it's telephonic, please let
15 me know if at any point you have a hard time hearing
16 my questions.

17 I guess the first thing I would like to
18 ask is looking at your testimony which Mr. Petricoff
19 was asking you questions on, are there any changes or
20 corrections that you are now intent on making to your
21 testimony?

22 A. Yes.

23 MR. HAYDEN: You have your list of
24 errata.

1 THE WITNESS: Yes, but I don't have my
2 list of errata with me.

3 Q. Do you know generally what they relate
4 to?

5 A. One provision on page 11 which refers to
6 line 4, will the companies secure compliance with
7 4928.64 and 4928.66.

8 Q. And what is the change going to be?

9 A. The renewable citation will be removed.

10 Q. Okay. Are there any others?

11 A. Not to my knowledge.

12 Q. I'm going to have you turn to page 2 of
13 your testimony very briefly. On line 10 it says in
14 answer "I am responsible for all or part of the
15 following schedules." What do you mean by you are
16 responsible for -- what does the word "responsible"
17 mean there?

18 A. I supported.

19 Q. Did you, based on those schedules there
20 from lines 13 to 32, did you draft all of those? Or
21 let me ask you this way, did you draft line 23 of the
22 workpapers through line 32 of the workpapers?

23 A. I reviewed the workpapers.

24 Q. Are there any there that you drafted?

1 A. In their entirety?

2 Q. Yes.

3 A. No.

4 Q. Okay, I'll ask them individually. Going
5 to the distribution service rider Mr. Petricoff asked
6 you a number of questions on, and that's on page 7 of
7 your testimony? And even if you look on page 6 of
8 your testimony I notice that there is, I'm sorry,
9 that chart on page 2 I note there's no workpapers for
10 the distribution service rider and that's -- the
11 company have any kind of estimates or projections on
12 what those numbers are going to be?

13 A. The distribution service -- can you tell
14 me which schedule you're looking at again?

15 Q. I guess I kind of -- I'm looking at the
16 distribution service rider testimony on page 7.

17 A. Yes.

18 Q. And then I'm referring back to page 2
19 where you talk about the schedules you're responsible
20 for, and there's no distribution service rider
21 workpaper, correct?

22 A. Yes, there's schedule 5i.

23 Q. 5i, okay.

24 A. Or wait a minute. Excuse me. The

1 distribution service rider. The distribution service
2 rider does not have a workpaper.

3 Q. Did the company do any projections on
4 what the numbers will be?

5 A. The distribution service improvement
6 rider is a textual rider that just moves current
7 existing distribution rates from the existing tariffs
8 into a rider. There is no workpaper.

9 Q. The distribution service rider.

10 A. Yeah. It's just taking tariff language
11 from the existing tariffs, the distribution portion
12 of those tariffs, and moving them into a rider.

13 Q. Mr. Hussing, has the company done -- the
14 companies done any projections or actually CEI, so
15 the company, has it done any projections on the --
16 about what's going to happen with the money for that
17 period in 2009 from January to April; how much
18 revenue is going to be received by the company?

19 Hello.

20 A. Yeah, I'm thinking.

21 Not in the schedules that I have
22 supported.

23 Q. Outside of the schedules that you have
24 supported has the company projected those numbers?

1 A. What do you mean by "projected"?

2 Q. Do you have an idea of how much revenue
3 the company will receive in that time period?

4 A. Yes.

5 Q. How many kilowatts will be used?

6 A. We have a budget.

7 Q. Has that budget been provided to OCC?

8 A. I do not know.

9 Q. And what would that budget be called?

10 A. Budgeted Revenue.

11 Q. For what period of time?

12 A. January through May. Or January through
13 April.

14 Q. Okay, now I'm going to turn on the same
15 page, page 7 of your testimony, regulatory transition
16 charge and residential transition rate credit rider.
17 In your opinion, what is the purpose of this rider?

18 A. This rider, very similarly to the
19 distribution service rider, takes the existing RTC
20 tariff information from the current tariffs and
21 places it in a rider.

22 Q. And what do you mean by "very similar"?

23 A. It's very similar in the context of the
24 purpose of the rider. It's moving it from current

1 tariffs to the proposed tariff but in a rider
2 section.

3 Q. And what costs does it recover?

4 A. It's recovering the regulatory transition
5 charges and residential transition rate credits.

6 Q. Are there any other charges, costs it's
7 recovering?

8 A. No.

9 Q. Mr. Hussing, do you have the documents I
10 e-mailed earlier to Mr. Hayden, your counsel?

11 A. Yes.

12 Q. I believe approximately the third
13 document is the tariffs for this, the proposed tariff
14 for the regulatory transition charge and residential
15 transition rate credit rider.

16 A. Yes.

17 Q. Do you see that?

18 A. Yes, I do.

19 MR. POULOS: Mark, could you have that,
20 how have we been marking these?

21 MR. HAYDEN: We haven't marked yet. I
22 mean, I haven't looked through those documents so I
23 assume it's just a replica of what was filed in the
24 case. I mean, you can refer to them if that's the

1 case. If those documents are different than what we
2 filed, then this is probably going to have to be your
3 exhibit.

4 MR. POULOS: No. Yeah, this is what's
5 been filed. It doesn't matter to me if you just want
6 to mark it as an exhibit or just I can refer to it.

7 MR. HAYDEN: Assuming it's the exact same
8 document we might as well just refer to it.

9 MR. POULOS: Okay.

10 Q. (By Mr. Poulos) This is Schedule 3a, so
11 it's the CEI company, do you recognize this,
12 Mr. Hussing?

13 A. Yes, I do.

14 MS. McALISTER: I'm sorry to interrupt,
15 but could we have a more specific reference?
16 Schedule 3a, page?

17 MR. POULOS: 148 of 190.

18 MS. McALISTER: Thank you.

19 MR. POULOS: Sure.

20 Q. And it's a five-page document, pages 1 of
21 5 through 5 of 5, correct, Mr. Hussing?

22 A. That is correct.

23 Q. Did you draft this document?

24 A. No, I did not.

1 Q. Who did draft it?

2 A. My staff.

3 Q. Was it under your direction?

4 A. Yes.

5 Q. And where did the -- as you can see on
6 the first page, there are a number of, there's a
7 second column with a number of rates in it; where did
8 those come from?

9 A. From the existing filed tariffs.

10 Q. Okay, move on to the green resource
11 rider. There are, based on your testimony on page 2,
12 there are no workpapers for this rider as well; is
13 that correct?

14 A. That is correct.

15 Q. And what is, in your opinion, what is the
16 purpose of this rider?

17 A. As my testimony explains, to continue to
18 offer customers the opportunity to purchase, you
19 know, renewable energy credits.

20 Q. The only question I have -- Mr. Petricoff
21 had a couple of questions I had, but it does say in
22 your testimony on line 5 on page 8 that this green
23 resource rider is similar to that that was approved
24 in case 06-1112. How is it similar? Or if it's

1 easier, how is it different?

2 A. It's exactly the same language only it
3 doesn't have a rate in it.

4 Q. And why is that?

5 A. Because the rider has yet to be approved.

6 Q. Do you have a proposed rate for it?

7 A. No, we do not.

8 Q. Have you had discussions with the Public
9 Utilities Commission staff about this rider?

10 A. The proposed rider?

11 Q. Yes.

12 A. No.

13 Q. Are you aware of discussions by anyone in
14 your company about the proposed rider with the Public
15 Utilities Commission staff?

16 A. No.

17 Q. Next I want you to turn to page 16 of
18 your testimony, experimental dynamic pricing rider.

19 A. Yes.

20 Q. Okay. There's no workpapers for this as
21 well?

22 A. That is correct.

23 Q. On line 10 it states that "The purpose of
24 the AMI pilot is to determine whether a program that

1 combines Summer time-of-day generation rates with
2 real time energy usage information can effectively
3 change customer behavior and energy consumption,"
4 lines 10 through 12. Do you see that? Is that the
5 only purpose --

6 A. On which page?

7 Q. Page 16. I'm sorry, did I not state
8 that? Do you see the first full sentence from line
9 10 to 12?

10 A. Yes.

11 Q. It talks about the purpose.

12 A. Yes.

13 Q. Are there any other purposes besides the
14 one listed on this page in your understanding?

15 A. No.

16 Q. It talks about whether a program that
17 combines summer time-of-day generation rates. Is
18 this going to be a one-year program or do you expect
19 it to be more than one year?

20 A. The program will last through the term of
21 the ESP.

22 Q. The pilot program?

23 A. Yes.

24 Q. How is the parameters for this program

1 chosen?

2 A. Parameters of the program are in
3 Attachment F.

4 Q. Yes, actually I think that's one of the
5 documents coming up, if you don't have it available,
6 that I sent. Let me ask you this question: Were
7 other programs, pilot programs considered?

8 A. Yes.

9 Q. What were those other programs that were
10 considered?

11 A. Peak time rebate program.

12 Q. Okay. Anything else?

13 A. No, that was it.

14 Q. And why wasn't the peak time rebate
15 program used?

16 A. I thought the critical peak pricing
17 program would offer more information based on the
18 purpose of the rider.

19 Q. Were you the one who made the decision to
20 go with this program?

21 A. I consulted others.

22 Q. And who were the others you consulted
23 with?

24 A. The regulatory group.

1 Q. Who is a part of the regulatory group you
2 consulted with?

3 A. Dave Blank.

4 Q. Anyone else?

5 A. Other staff members.

6 Q. Do you recall who they were?

7 A. My staff.

8 Q. Who chose, who decided upon the number of
9 500 customers for this pilot program?

10 A. I did.

11 Q. Was this in collaboration with anyone
12 else?

13 A. It was based on my ability to see what
14 the -- to work within the realms of the program.

15 Q. Would you clarify that a little better?
16 Work within the realms of the program, what does that
17 mean?

18 A. We're going to use existing
19 infrastructure.

20 Q. Okay. Was that an important part of the
21 decision to do the 500 or was that the only part of
22 the decision to do 500 customers to be a part of the
23 existing infrastructure?

24 A. Yes, that's the reason for the 500

1 customers. It's to work within the existing
2 infrastructure.

3 Q. Why was that an important aspect in this
4 program?

5 A. We're not going to put any new systems in
6 place to conduct the pilot.

7 Q. And was that decision made by you or by
8 others as well?

9 A. That was a decision made by me.

10 Q. Have you had any discussions with the
11 Public Utilities Commission staff regarding the 500
12 people number?

13 A. They have asked discovery.

14 Q. Let me ask you very basically what's your
15 background with these type of programs? Have you had
16 any training?

17 A. Can you be more specific about
18 "training"?

19 Q. Have you gone to any seminars regarding
20 AMI programs?

21 A. I have reviewed other company programs.

22 Q. What programs are those? What other
23 companies are those?

24 A. Gulf Power.

1 Q. Gulf Power? In which state is that?

2 A. That's a southern company.

3 Q. Is there any specific state program you
4 were looking at or is it --

5 A. No.

6 Q. Any others?

7 A. Reviewed Baltimore Gas & Electric.

8 Q. And what did you review of Baltimore
9 Gas & Electric?

10 A. Their pilot program.

11 Q. How many customers did they have in the
12 Baltimore Gas & Electric pilot program?

13 A. I don't know.

14 Q. Did you know at one time?

15 A. No.

16 Q. What about the Gulf Power?

17 A. No.

18 Q. Were there any other programs you
19 reviewed?

20 A. No.

21 Q. Were there any other resources you used
22 to develop the AMI pilot program that you proposed?

23 A. EEI information.

24 Q. Could you be a little more specific?

1 What EEI information?

2 A. EEI publications on dynamic pricing
3 programs.

4 Q. What publications were those?

5 A. I don't have those with me.

6 Q. Were they articles, or books, or
7 magazines?

8 A. Articles.

9 Q. Approximately how many?

10 A. One.

11 Q. And do you recall what year it was
12 published?

13 A. No.

14 Q. Do you have a ballpark? Was it a recent
15 one?

16 A. Yes.

17 Q. Do you recall approximately how long the
18 article was?

19 A. No.

20 Q. Has FirstEnergy hired a consultant to
21 help with this issue?

22 A. With what issue?

23 Q. With the AMI pilot program.

24 A. No.

1 Q. Has FirstEnergy done any cost-benefit
2 studies --

3 A. No.

4 Q. -- regarding the options for AMI
5 programs?

6 A. No.

7 Q. Have you created any -- developed any
8 schedules for implementation?

9 A. Implementation of what?

10 Q. Of the AMI program.

11 A. Of the AMI pilot?

12 Q. Yes.

13 A. Of the 500 customers?

14 Q. Yes.

15 A. No.

16 Q. In implementing the pilot program have
17 you developed any monitoring protocols to monitor the
18 progress of the customers?

19 A. No.

20 Q. Have you developed any evaluation
21 criteria?

22 A. No.

23 Q. Have you developed any reporting
24 protocols for reporting the information to the Public

1 Utilities Commission or to outside organizations?

2 A. No.

3 Q. Attachment F which you were referring to,
4 did you draft Attachment F?

5 A. No.

6 Q. Who drafted it?

7 A. I don't know.

8 Q. Was it one of your staff members?

9 A. I reviewed the attachment and made
10 comments.

11 Q. It was drafted by someone from
12 FirstEnergy.

13 A. Yes.

14 Q. Okay. Earlier I asked if you had gotten
15 any Public Utilities Commission staff input on the
16 500 customers. Is there any part of this program,
17 the pilot program, that you've gotten input from the
18 Public Utilities Commission staff?

19 A. No.

20 Q. Going back to your testimony, line 12 on
21 page 16 -- Mr. Hussing, if there's any point that you
22 need a break, just speak up.

23 A. Thank you.

24 Q. On line 12, it starts with "effectively

1 change customer behavior," so it says, if you're
2 looking at line 11, "Summer time-of-day generation
3 rates with real time energy uses information can
4 effectively change customer behavior." Who's going
5 to determine if the customer behavior is effectively
6 changed? Who makes that determination?

7 A. We will look at the data after the fact
8 via the customer and also a control group.

9 Q. Who is that -- who is the "we" that you
10 were speaking -- referring to?

11 A. The company and a collaborative group.

12 Q. Okay. Going down to line 16 and 17, at
13 the end of line 16, "The Dynamic Peak Pricing rate
14 design was chosen because of the standard pricing
15 model." What does "standard pricing model" mean?

16 A. It's used as a standard means of dynamic
17 pricing model. Critical peak pricing, which is the
18 same as our dynamic peak pricing, and peak time
19 rebates are standard programs, for example.

20 Q. Okay. Going down to line 19 starting in
21 the middle there, "Once participants in the study are
22 selected." How are the participants going to be
23 selected?

24 A. We will look at customers that have shown

1 that they have summertime usage higher than their, I
2 want to call it their October or April usage, and
3 utilize kilowatt-hours within a thousand to 4,000
4 kilowatt-hours. So we will select a group of
5 customers within that range.

6 Q. And is there one of the three companies
7 that those customers will come from, or how will it
8 be determined what -- how far-reaching the group will
9 be, if that makes sense?

10 A. We can -- that has yet to be determined.

11 Q. And who will determine that?

12 A. The collaborative group.

13 Q. Going down to line 21, "The Companies
14 will implement the pilot program using advanced
15 metering technology," and can you specifically tell
16 me what the advanced metering technology is?

17 A. The advanced metering technology will be
18 a meter that has a cellular communication device in
19 it and also a home area network device in it which
20 will allow the company to interrogate the meter at
21 any time via a cellular communication and also the
22 customer will be able to see information via a home
23 display device.

24 Q. Is there a -- do you have a specific

1 brand name of the devices you will use?

2 A. No, we don't.

3 Q. Are there any you are looking at right
4 now?

5 A. We have yet to decide on a particular
6 brand. We're investigating.

7 Q. I had a brand I wanted to ask you about
8 but I'll come back to it.

9 Going to the top of page 17, line 1,
10 approximately 500 customers, I know I've asked you a
11 lot of questions on this, but do you believe the 500
12 customer number is an adequate number to tell if the
13 pilot program's successful?

14 A. Yes, I do.

15 Q. And could you give me your reasons for
16 that answer?

17 A. I believe 500 customers with the range of
18 customers that we're going to look at will provide,
19 you know, enough data information to tell if
20 customers -- if the proposed rate will satisfy the
21 purpose of the pilot to determine whether a program
22 that combines summer time-of-day generation rates
23 with realtime information can effectively change
24 customer behavior.

1 Q. Are you concerned about the ability to
2 tell how it will -- how much they will spend on new
3 technology and inputting a whole new system into your
4 system with only 500 customers?

5 A. No. We're not adding any new -- we're
6 not adding any new systems.

7 Q. Do you believe that the 500 customers
8 will be able to adequately tell you the operational
9 savings potential of the program?

10 A. The program is not designed to look at
11 operational savings.

12 Q. Do you believe that 500 people give you
13 enough information to tell you about the metering
14 costs of a full implementation of a program?

15 A. No.

16 Q. And will 500 people be enough to tell you
17 or give you enough information about the IT costs of
18 a full implementation of a program?

19 A. No.

20 Q. And do you believe that 500 people will
21 be enough to tell you or give you enough information
22 to tell about the communication costs of a full
23 implementation of a program?

24 A. No.

1 Q. Earlier you had mentioned that part of
2 the criteria for being in this program is going to be
3 discretionary summer usage such as air conditioning;
4 did I get that right from before?

5 A. Yes.

6 Q. Is there any other discretionary summer
7 usage that you're looking at besides air
8 conditioning?

9 A. Air conditioning was an example.

10 Q. Yes.

11 A. I'm not --

12 Q. Any others?

13 A. I'm not disqualifying any others.

14 Q. Okay. You talked earlier as well about a
15 collaborative group of major stakeholders. Who would
16 be a part -- who are you considering a part of the
17 collaborative group?

18 A. They are designated in Attachment F.

19 Q. I missed that. Where in Attachment F are
20 they -- is there only one page in Attachment F?

21 A. There's two pages in Attachment F.

22 Q. I only have one page with me. Is there a
23 list of groups on page 2?

24 A. Where I'm referring to is the company

1 proposed a collaborative process in which interested
2 parties provide input on the AMI process.

3 Q. Would OCC be one of those interested
4 parties that you would include?

5 A. Yes.

6 Q. Is there any limit to the number of
7 parties that can be included? Let me ask you this --
8 that's an unfair question. Let me ask you, who will
9 make the decision about who's included in the group?

10 A. I don't know.

11 Q. Going down to line 10 of your testimony
12 on page 17, "In addition, the Companies will not seek
13 cost recovery of the first million dollars in costs
14 associated with the pilot program." Who determined
15 the \$1 million amount?

16 MR. HAYDEN: Excuse me, Greg, I'm sorry,
17 I didn't hear the question. Did you say who did
18 determine the 1 million?

19 MR. POULOS: Yes, who determined the
20 \$1 million mark -- amount.

21 MR. HAYDEN: Okay.

22 A. I do not know.

23 Q. Do you know the basis for the \$1 million
24 amount?

1 A. The 1 million, when you take 500
2 customers and include the costs associated with
3 Attachment F, provides an estimate of the costs.

4 Q. So then those costs would be the 500 per
5 interval meter and 500 to a thousand dollars for
6 installation, plus \$25 per customer per year program
7 incentive, and 180 per customer per year in
8 communication costs?

9 A. Yes.

10 Q. Times the 500. So is it your estimate
11 that to run this pilot program will be approximately
12 a million dollars?

13 A. I believe it will be under a million.

14 Q. Okay. Looking at line 16 through line 20
15 on page 17, and specifically right now the lines 16
16 and 17, "The time of use On-Peak hours will be Monday
17 through Friday 11 a.m. to 5 p.m., with all other
18 hours being Off-Peak." Who made the determination of
19 the two time periods, on-peak and off-peak?

20 A. I did.

21 Q. What was the basis of your decision?

22 A. The on-peak hours are periods that are
23 critically loading periods on our system, and the
24 off-peak are all other hours.

1 Q. Did you consider having a third or even
2 fourth interval -- time periods?

3 A. Yes.

4 Q. And in considering having three time
5 periods, what were those time periods that you were
6 considering?

7 A. I don't remember.

8 Q. Do you remember a reason why you did not
9 go with three time periods?

10 A. Simplicity for customers to understand.

11 Q. And what about for four time periods, do
12 you remember the time periods that would be, when
13 there were four of them?

14 A. I didn't consider four.

15 Q. Okay. Did you do any -- did you review
16 any studies that had three time periods?

17 A. Can you explain that?

18 Q. Sure. You considered three time periods,
19 correct?

20 A. Yes.

21 Q. And where did you get the concept of even
22 having three time periods?

23 A. It as a variable time of use. It's an
24 option that's available.

1 Q. Did you do any research about a third
2 time period -- about including a third time period?

3 A. No.

4 Q. Regarding the use of time periods,
5 whether it be two time periods or three time periods,
6 did you talk to the Public Utilities Commission staff
7 about the time period issue?

8 A. To.

9 Q. Did you consider using the time periods
10 for more than just the summer period?

11 A. No.

12 Q. And why did you not consider using any
13 other time periods?

14 A. The program seeks to see if -- customers
15 with discretionary usage in the summer, so it's a
16 summer program.

17 Q. Actually, before I misspoke, so I want to
18 clarify the record that you do -- your proposal does
19 have three time periods, correct? It does have an
20 on-peak, an off-peak, and then a critical peak,
21 correct?

22 A. No.

23 Q. What is the 12 times per year?

24 A. The 12 times are events of which the

1 pricing changes during the on-peak period.

2 MR. POULOS: Okay. If you don't mind,
3 can we go off the record for a second?

4 MR. HAYDEN: Sure.

5 (Discussion off the record.)

6 MR. HAYDEN: Let's go back on the record.

7 Q. Mr. Hussing, I'll now have you turn to
8 the demand-side management and energy efficiency
9 rider, page 10.

10 A. Okay.

11 Q. Thank you. This is a new rider that is
12 being proposed, correct?

13 A. Yes.

14 Q. What is the reason for this rider, what
15 is the need for this rider, in your opinion?

16 A. To recover the costs associated with
17 demand-side management and energy efficiency
18 programs.

19 Q. Did the company recover those costs
20 before? Let me correct that, are they currently
21 recovering those costs?

22 A. This rider is to recover the costs
23 associated with programs that are currently the
24 residential program that we have, the home energy

1 efficiency and demand-side management program, and
2 any costs associated with demand-side management
3 going forward.

4 Q. Could you identify the programs that will
5 be -- you said the home efficiency ones? Do you know
6 specifically what programs will be a part of the
7 recovery in this rider?

8 A. Yes. It's in the rider itself.

9 Q. Do you have the rider in front of you?

10 A. Yes, I do.

11 Q. And where is it in the rider?

12 A. Provisions 1 and provisions 2.

13 Q. Thank you.

14 Does FirstEnergy currently evaluate the
15 cost-effectiveness of the energy efficiency programs?

16 A. Yes. It will be looking at the
17 cost-effectiveness program of the residential
18 program.

19 Q. And that's -- when you mean
20 "residential," that's the home performance with
21 Energy Star?

22 A. Yes.

23 Q. And the direct load control?

24 A. Yes.

1 Q. How will it do that? How will it
2 evaluate the cost-effectiveness of those programs?

3 A. Per the terms of the stipulation.

4 Q. And which stipulation are you referring
5 to?

6 A. The one in PUC case 05-1125-EL-ATA.

7 Q. Okay. Looking at your workpapers, that
8 is some of the documents I e-mailed today, the
9 workpapers for the DSE rider, do you have those in
10 front of you? And this would be schedule 50, pages 1
11 of 17 through 17 of 17.

12 A. Okay.

13 Q. Do you have those in front of you?

14 A. Yes.

15 Q. I would say the first 12 pages are
16 applications for three different companies; is that
17 correct?

18 A. That is correct.

19 Q. Who created these applications?

20 A. They were created under my direction.

21 Q. And approximately when were they created?

22 A. Before our filing.

23 Q. What was the basis -- what did you use to
24 help you develop the application?

1 A. The PUCO draft rules.

2 Q. Anything else?

3 A. No.

4 Q. If somebody submits an application, who
5 will make the decision whether to grant it or not?

6 A. It would be in part a two-step process to
7 fill out the application for its fullness and the
8 second part would be for Commission review -- or,
9 staff review.

10 Q. Okay. And if you see on the first page,
11 page 1 of 17, it says at the top "Ohio Edison Company
12 Rider DSE Standard Application." Do you see that?

13 A. Yes.

14 Q. At the bottom of that first full
15 paragraph, a minimum of .45 percent for service in
16 2009, 1.2 percent for service in 2010, and
17 2.25 percent for service in 2011, 3.45 percent for
18 service in 2012, and 4.8 percent for service in 2013,
19 and how will the company determine if these figures
20 are met?

21 A. They'll be compared, you know, those
22 are -- that process is mentioned in the rider.

23 Q. Where is it mentioned?

24 A. One moment, I have to find the copy of

1 the rider.

2 Q. The rider is Schedule 3a pages 161 of 190
3 through 164 of 190. At least the CEI one.

4 A. How it will be measured is in the
5 avoidability section, page 2 of 3, section b.

6 Q. Section b, okay.

7 A. It's under the avoidability, section b.

8 Q. Thank you.

9 I'd like you to turn back to your
10 workpapers, page 14 of 17 --

11 A. Of which rider?

12 Q. Highlighted . . .

13 A. Okay.

14 Q. On my copy there's a large area that's
15 blacked out.

16 A. Yes.

17 Q. Is that confidential information or is
18 there actual data that's blacked out, or is it just
19 the chart?

20 A. No; there's a chart there.

21 Q. So there's no data that's blacked out.

22 A. No.

23 Q. Okay. Just a general question about your
24 testimony on demand-side management and the energy

1 efficiency rider. Is this rider designed to recover
2 all of FE's actual costs?

3 A. FE's costs that it would need to recover
4 for demand-side management energy efficiencies, yes.

5 Q. Are the costs reconciled?

6 A. Yes. And it's updated. When you say
7 "reconciled," it means it's updated on a per diste.

8 Q. On a what, excuse me?

9 A. It's updated on a schedule.

10 Q. That schedule's on the tariff.

11 A. Yes.

12 Q. Have you had discussions with staff of
13 the Public Utilities Commission regarding the
14 demand-side management and energy efficiency rider?

15 A. The residential portion of the rider?

16 Q. Yes.

17 A. The residential portion of the rider is
18 just -- is the demand-side management energy
19 efficiency program that we had in the distribution
20 case and it's been moved and consolidated with this
21 rider.

22 Q. This rider will also have the \$5 million
23 per year deducted from it; is that right? That the
24 company will pay.

1 A. No.

2 Q. Where will the \$25 million that the
3 company proposed in its application, where will that
4 be reflected?

5 A. The 5 million per year is what the
6 company is going to -- to cover programs not
7 recovered from customers.

8 Q. Do you know what programs those will be?

9 A. It is yet to be determined.

10 Q. Who will make that determination?

11 MR. HAYDEN: I have to object. He's
12 really not sponsoring that part of the application.
13 That's really not part of his testimony.

14 If you know, you can answer.

15 Q. Let me ask you then, Mr. Hussing, do you
16 know who is sponsoring that part of the testimony?

17 A. Mr. Blank.

18 Q. Okay. I want to move to page 8, the
19 economic development rider. Would you explain to me
20 how, I read the purpose and Mr. Petricoff went over
21 some of the basics of this rider with you, but could
22 you explain how this rider is an economic development
23 rider?

24 A. The mitigation of rate changes on

1 customer impacts helps sustain economic stability.

2 Q. So it's not economic development in the
3 sense of getting more or creating more business, is
4 it?

5 A. It mitigates customer impacts.

6 Q. What do you mean by "customer impacts"?

7 A. Rate increases.

8 Q. Okay. I'll move to Delta revenue
9 recovery rider, pages 8 and 9. Actually page 11 and
10 12, sorry. Did you draft this testimony here?

11 A. Yes, I did.

12 Q. Did you draft the workpapers for this?

13 A. Under my direction, yes.

14 Q. In your opinion, why is this rider
15 needed?

16 A. Can you be specific, which rider?

17 Q. The Delta revenue recovery rider. What
18 costs does this rider recover?

19 A. Recovery of revenue foregone as a result
20 of discounts and special arrangements.

21 Q. Just special arrangements?

22 A. Yes.

23 Q. And what are -- could you define "special
24 arrangements" for me?

1 A. Special contracts.

2 Q. With businesses or with other customers
3 as well?

4 A. With customers.

5 Q. Residential customers?

6 A. No.

7 Q. In your testimony on page -- sorry, on
8 page 11, line 9 it talks about "The approval of a
9 special arrangement must also include approval of
10 complete revenue recovery resulting from such an
11 arrangement." Why does it have to have complete
12 revenue recovery?

13 A. The distribution utility cannot absorb
14 discounts associated with generation service.

15 Q. Has FirstEnergy absorbed those costs in
16 the past?

17 A. Yes.

18 Q. And what makes the situation different
19 now that it cannot absorb those costs?

20 A. The law has changed.

21 Q. So it's not an inability to absorb it,
22 you're basing it on what the law says. Excuse me.
23 It's not an inability of the company to be able to
24 absorb it, you're basing it on your interpretation of

1 the law.

2 A. No. It's a combination of the law and
3 inability.

4 Q. Okay. Other than the law, take the law
5 component out of it, you state the part about the
6 inability, what -- could you tell me what has changed
7 to make it an inability?

8 A. The companies -- the distribution company
9 cannot take a loss, can't afford to take a loss on
10 generation service. If it were required, it would
11 take a loss on every transaction.

12 Q. Is there anything else?

13 A. It would also hinder the companies'
14 inability to make significant investments in its --
15 to make investments in its energy delivery systems.

16 Q. Anything else?

17 A. No.

18 Q. Let's take the first one. The companies'
19 limited ability to absorb such lost revenue. Is that
20 a correct -- is that the first one?

21 A. Yes.

22 Q. Looking at line 10 and 11 of your
23 testimony on page 11 you state "To do otherwise," and
24 I'm assuming --

1 THE REPORTER: I'm sorry. I didn't hear
2 you.

3 Q. "To do otherwise," on line 11,
4 "jeopardizes the financial viability of the Companies
5 because of the limited ability to absorb such lost
6 revenue." When you mean "to do otherwise," that is
7 to not allow complete recovery of revenue, right?

8 A. Yes.

9 Q. That's basically the first one you were
10 talking about. Could you quantify for me what
11 jeopardizes -- what amount it would take to
12 jeopardize the financial viability of the companies
13 in regards to complete revenue recovery?

14 A. Can you restate your question?

15 Q. Sure. It says, reading at line 10 it
16 says "To do otherwise jeopardizes the financial
17 viability of the Companies." Could you quantify for
18 me the word "jeopardizes"? What amount of money
19 would jeopardize the financial viability of the
20 company?

21 A. I don't know.

22 Q. Okay. And do you know who would know the
23 answer to that?

24 A. No, I don't.

1 Q. On line 11, it states "the limited
2 ability to absorb such lost revenue." Could you
3 quantify what is the limited abilities of the
4 company?

5 A. The companies are distribution utilities
6 with only distribution revenues.

7 Q. Is that your answer?

8 A. Yes.

9 Q. Pertaining to the statement "To do
10 otherwise jeopardizes the financial viability of the
11 Companies because of the limited ability to absorb
12 such lost revenue" have you done any studies to
13 determine the amount of money that we're dealing with
14 here?

15 A. It's the filed Delta revenue in the case.

16 Q. The filed Delta revenue in the case?

17 A. Yes.

18 Q. So if the company -- and what about the
19 filed Delta revenue in this case?

20 A. That is the Delta revenue the company is
21 seeking to recover.

22 Q. So if the company does not get any of the
23 Delta revenue, that would jeopardize the financial
24 viability of the companies?

1 A. Yes.

2 Q. What if they got something more than,
3 say, half of the Delta revenues, would that
4 jeopardize the financial viability of the companies?

5 A. Yes.

6 Q. What if they got 3/4 of the Delta revenue
7 that they filed in this case, would that jeopardize
8 the viability of the companies if they got only three
9 quarters?

10 A. I don't know.

11 Q. What if they got 80 -- or 85 percent of
12 the Delta revenues, would that jeopardize the
13 financial viability of the companies?

14 MR. HAYDEN: Objection. You know, I
15 think we've gone through four or five of these, I
16 suppose we could go through every percentage, but --

17 MR. POULOS: I'm just trying to find
18 out -- well, let me ask this.

19 Q. Is there a point where it does not
20 jeopardize the financial viability of the company?

21 A. The company would take a loss on every
22 transaction.

23 Q. At any percentage.

24 A. On any percentage.

1 Q. And what do you mean by a loss?

2 A. It wouldn't recover its cost.

3 Q. And any loss jeopardizes the viability of
4 the company -- companies.

5 A. It would not be able to earn its rate of
6 return.

7 Q. And just -- I've been asking the question
8 with the word "viability." What is your
9 interpretation of viability of the companies, the
10 financial viability of the companies?

11 A. Being able to maintain its rate of
12 return.

13 Q. Same analysis for "limited ability to
14 absorb such loss to revenue." Is there a percentage
15 of the Delta revenue that the company must get to
16 maintain -- to retain its ability to absorb such lost
17 revenue? Is there a breaking point?

18 A. Can you restate that question?

19 Q. Sure. The second part of the sentence
20 says that there's a limited ability by the company to
21 absorb such lost revenue in the Delta revenue. What
22 is the breaking point of the companies' limited
23 ability? At what point do they not have an ability
24 to absorb lost revenue? What percentage of the Delta

1 revenue?

2 A. I don't know.

3 Q. Have you done any studies on this issue?

4 MR. HAYDEN: Can you specify a little bit
5 more when you say "this issue," please?

6 MR. POULOS: Sure.

7 Q. Have you done any studies on the
8 companies' limited ability to absorb such lost Delta
9 revenue?

10 A. No, I have not.

11 Q. Have you done analysis on the companies'
12 limited ability to absorb such lost Delta revenues?

13 A. No, I have not.

14 Q. Just to make sure I, I can't recall if I
15 did this a couple questions ago, did you do any
16 studies on what amount of lost Delta revenue it would
17 take to jeopardize the companies' financial
18 viability?

19 A. No, I have not.

20 Q. Okay. And did you do an analysis on the
21 amount of lost revenue that would jeopardize the
22 companies' financial viability?

23 A. No, I have not.

24 Q. Moving down to line 13, "they cannot

1 absorb the costs of discounts from
2 Commission-approved tariffs." "They" is the
3 companies there, correct?

4 A. Yes.

5 Q. Who negotiates the cost -- the discounts
6 in these Commission-approved tariffs? I'm sorry, who
7 absorbs the discounts from the Commission-approved
8 tariffs?

9 A. The Delta revenue rider recovery process
10 as proposed would be customers.

11 Q. The customers would negotiate the
12 discounts that they get?

13 A. No.

14 Q. And who would they be negotiating with?

15 A. No, you said "absorb." Who would absorb
16 the discounts.

17 Q. Oh, I apologize.

18 Who negotiates the discounts?

19 A. The Commission would review and approve
20 the discounts.

21 MR. HAYDEN: Greg, just for
22 clarification, are you talking about the discounts
23 that may exist today or something that would be in
24 the future post-2008?

1 MR. POULOS: Actually, I'm asking about
2 the past ones, the ones that are in place.

3 Q. Who has negotiated those?

4 A. I don't know who negotiated those, but
5 the Commission approved those.

6 Q. Did the company -- the companies
7 negotiate those?

8 A. It is my understanding the company would
9 have -- would have been a party to the negotiation.

10 Q. Going forward who would negotiate those
11 discounts with the customers?

12 A. It's my understanding in the Senate bill
13 certain customers could ask for discounts without the
14 company or the company could provide a discount
15 application to the Commission of which all would need
16 to be approved by the Commission.

17 Q. Going down to lines 18 through 21,
18 "Absent recovery of the delta revenue from other
19 customers, who are the beneficiaries of the resultant
20 economic development, there are no other transactions
21 in which the Companies can make up the Delta
22 revenue." In the middle of this you say "who are the
23 beneficiaries of the resultant economic development."

24 In this sentence who are you referring to

1 as the beneficiaries? Specifically, what customers?

2 A. One moment, I'm reading my testimony.

3 Those are customers in general.

4 Q. So all customers?

5 A. Customers that benefit from the economic
6 development.

7 Q. So like for example the residential
8 customers benefit from this economic development.

9 A. Possibly.

10 Q. Does the company benefit from the
11 economic development?

12 A. I don't know.

13 Q. Who would know the answer to that
14 question?

15 A. Can you be more specific on how it would
16 benefit?

17 Q. Is there any benefit?

18 A. I don't know.

19 Q. Well, let me go back to that original
20 point. Who are the beneficiaries that result in
21 economic development if the beneficiaries -- what is
22 the benefit that has been created?

23 A. Economic development are job retention or
24 new customers.

1 Q. Does FirstEnergy benefit from new
2 customers?

3 A. How do you mean "benefit"?

4 Q. I mean benefit in any way. Do they have
5 any benefits from getting new customers?

6 A. The companies serve customers, so as a
7 utility it serves customers.

8 Q. So if it has more customers, then it's a
9 benefit to the company -- the companies.

10 A. If it has more customers, it serves to
11 provide more customers.

12 Q. So that's your understanding of the only
13 benefit for the company, for FirstEnergy.

14 A. In your context of new customers.

15 Q. Yes. So the only benefit for FirstEnergy
16 from getting new customers is it has new customers.

17 A. Yes. It provides a source to recover its
18 facility costs.

19 Q. Are there any other advantages to having
20 new customers?

21 A. Not that I can think of at this time.

22 Q. Okay. Looking down at lines 21 and 22 on
23 page 11, one of the things that you had stated
24 earlier about one of the concerns that the company

1 did not get complete revenue recovery from the Delta
2 revenue was that it would hinder the companies'
3 ability to undertake significant investment, and the
4 company -- significant investment, the companies have
5 committed to improve the energy delivery system. Do
6 you recall that?

7 A. Yes.

8 Q. And could you explain to me how less than
9 full recovery -- revenue recovery of the Delta
10 revenues would hinder the companies' ability to
11 undertake significant investment?

12 A. Every dollar that's not collected from
13 Delta revenue is a loss to the company.

14 Q. Is that your answer?

15 A. Yes.

16 Q. Has a loss of Delta -- do you know
17 currently what percentage of Delta revenue the
18 company gets on its special contracts?

19 A. No, I do not.

20 Q. Are you aware of any effects that the
21 lack of not getting full revenue -- Delta revenue
22 recovery has had on the companies' ability to
23 undertake significant investments in the past?

24 MR. HAYDEN: Can you please repeat that

1 question?

2 Q. Sure. Let me reword it. Mr. Hussing,
3 are you aware of any problems the company has had in
4 undertaking significant investment in the companies'
5 ability to improve the energy delivery system because
6 it has not gotten full Delta revenue recovery in the
7 past?

8 A. I'm not aware of any specific project.

9 Q. You also stated a moment ago that every
10 dollar the company does not get from revenue recovery
11 affects the significant investments. Do you recall
12 that?

13 A. Yes.

14 Q. So even if a small percentage of revenue
15 recovery, Delta revenue recovery, was not -- or,
16 strike that.

17 Even if the company was not to get
18 complete Delta revenue recovery, only a large
19 percentage, that would have a significant effect on
20 the result of the company to improve the energy
21 delivery system?

22 A. That would have an effect in that the
23 company would lose money on every transaction -- on
24 every transaction of which it would have to make up

1 otherwise.

2 Q. Okay. Could I have you turn to your
3 workpapers, in this case your workpaper which is
4 Schedule 5n, as in Nancy.

5 A. Okay.

6 Q. Looking at this workpaper, did you draft
7 this workpaper?

8 A. Under my direction it was drafted.

9 Q. If you look in the category C on the top
10 part, the \$293,054 and the 5,728,979; do you see
11 those numbers?

12 A. Yes.

13 Q. What do those four columns, those four
14 negative numbers, the 293,000, the 5 million, the
15 17 million, and the 54 million, where did you get
16 those numbers from?

17 A. The projected numbers of Delta revenue
18 associated with the CEI contracts for 2009.

19 Q. Those are the contracts that are
20 currently in existence?

21 A. Yes.

22 Q. Are you aware if you've submitted -- if
23 OCC has had an opportunity to see those contracts?

24 A. I do not know if OCC has seen those

1 contracts.

2 MR. POULOS: Mark, can we get a copy of
3 those contracts?

4 MR. HAYDEN: You can have a copy to the
5 extent that it's publicly available. Actually,
6 they're all publicly available best of my knowledge.
7 They're all in the Commission's docket.

8 MR. POULOS: Okay. Thank you. And are
9 there any that wouldn't be publicly available?

10 MR. HAYDEN: I don't know of any.

11 MR. POULOS: Okay.

12 Q. (By Mr. Poulos) I notice, Mr. Hussing,
13 that if you look at the top chart and then go down to
14 the bottom chart, the number of annual kilowatt-hour
15 sales is the same, but the Delta revenue in column C
16 has gone up. Shouldn't there -- in the second
17 column, the one for 2010, shouldn't the annual
18 kilowatt-hour sales go up?

19 A. The workpaper as filed and as the
20 schedules -- Schedule 1s, the '9, '10, and '11
21 kilowatt-hours, the billing units would remain the
22 same to see the effect of the riders and the
23 generation increase.

24 Q. Okay. There's a note on the bottom, B,

1 "Excludes CEI contract billing units." What does
2 that mean?

3 A. Those exclude the kilowatt-hours
4 associated with the CEI contracts.

5 Q. Okay. And there's only two years. Is
6 there a reason there's only 2009 and 2010?

7 A. The contracts expire in 2010.

8 Q. So the company has no contracts that go
9 into 2011?

10 A. Yeah, they're not proposing Delta revenue
11 in 2011 with the existing CEI contracts.

12 Q. Okay. I am almost done. I think we just
13 lost somebody. I did want to turn back to the
14 economic development rider, page 9 of your testimony.

15 A. Yes.

16 Q. On page 9, line 5 through 8 for now I
17 want to focus. "Therefore, charges associated with
18 this effort are social costs benefiting all
19 customers." I want you to -- do you understand what
20 that's saying right there?

21 A. Which page again?

22 Q. Page 9, the economic development rider.

23 A. Yes.

24 Q. It talks about the social costs

1 benefiting all customers.

2 A. Yes.

3 Q. Do those social costs also benefit the
4 company?

5 A. This is a social cost that's benefiting
6 customers through rate mitigation.

7 Q. Is there any benefit to the company of
8 the rate mitigation?

9 A. It's a revenue neutral rate design, so
10 there would be no revenue benefit.

11 Q. The charges would be extra costs to most
12 customers, correct?

13 A. The charges are for GS and GP customers.

14 Q. What were the two customer classes?

15 A. GS and GP.

16 Q. GS, okay.

17 MR. POULOS: Mark, give me a couple
18 minutes, I may be done.

19 MR. HAYDEN: Okay.

20 MR. POULOS: Give me about two minutes to
21 go through my stuff real quick.

22 MR. HAYDEN: Okay.

23 Q. Mr. Hussing?

24 A. Yes.

1 Q. I have one more question for you. Going
2 back to the Delta revenue recovery rider, page 11 and
3 12 of your testimony in general, have you had
4 discussions with the staff of the Public Utilities
5 Commission of Ohio regarding this testimony?

6 A. No.

7 Q. Regarding any aspect of this testimony?

8 A. No.

9 MR. POULOS: I have no further questions.
10 I appreciate your time and your patience. I give the
11 floor to whoever's next.

12 MR. HAYDEN: Okay. Brett, do you want to
13 go?

14 MR. BREITSCHWERDT: Sure.

15 - - -
16 EXAMINATION

17 By Mr. Breitschwerdt:

18 Q. Good evening, Mr. Hussing. My name is
19 Brett Breitschwerdt. I have a few questions for you
20 first on behalf of the Ohio Schools Council and then
21 on behalf of NOPEC.

22 I want to start out on page 5 of your
23 testimony. You had a discussion earlier with
24 Mr. Petricoff about incorporating the concept of

1 gradualism in the rate design, that was one of the
2 two main considerations that you incorporated, and
3 you said that you incorporated it in order to
4 accomplish the objectives of mitigating significant
5 customer impacts.

6 Now, just if you could reexplain how the
7 companies have attempted to mitigate the significant
8 customer impacts within the electric security plan.

9 A. The customer impacts are located in the
10 economic development rider and it's also phasing in
11 its generation price.

12 Q. Have the companies made any proposal
13 within the ESP to mitigate the rate impacts of the
14 plan on the public school customer class?

15 A. The economic development rider doesn't
16 specifically address schools.

17 Q. But potentially they -- I'm sorry.

18 A. The schools could -- are taking advantage
19 of the phase-in.

20 Q. Before I interrupted were you going to
21 say that the schools potentially could apply for the
22 economic development rider as well?

23 A. No.

24 Q. They cannot.

1 The first consideration that you
2 reference on page 5 in creating the rate design is
3 the rate classifications for the companies'
4 distribution case, case number 07-751, and in that
5 case the schools were actively involved in litigating
6 the issue of the impact on the schools, and my
7 question is, why did the companies decide not to
8 establish a credit rider for the schools such as the
9 business distribution credit rider the company has
10 proposed in their distribution case?

11 MR. HAYDEN: Objection. Answer if you
12 can.

13 A. Can you rephrase the question?

14 Q. Sure. Why did the companies decide not
15 to establish a credit rider for the schools such as
16 the business distribution credit rider proposed in
17 the companies' distribution case?

18 A. It provided the economic development
19 rider as a rate mitigation tool for those classes or
20 customers that it -- that I observed needed some
21 mitigation.

22 Q. And this is what we discussed earlier
23 when you were talking to Mr. Petricoff about how
24 gradualism would be applied and what a significant

1 impact would be; is that correct?

2 A. Yes.

3 Q. And can you explain to me why you didn't
4 determine that the rate increase impact on the public
5 school customer class was not a significant customer
6 impact?

7 A. I looked at the rate classes that we have
8 under the eight standard rate schedules including
9 nonstandard residential customers and that's what I
10 used as my basis.

11 Q. So in doing so what criteria did you
12 assess when you compared the schools to other rate
13 classes, or other customers on other rate schedules
14 or within the same rate schedule?

15 A. Schools are not separated out but they
16 are comprised in the general service schedule, in the
17 general service primary schedule, and general service
18 sub-transmission schedule.

19 Q. I understand that. But in your analysis
20 did you specifically analyze the impact of the rate
21 increase on schools as compared to other customers?

22 A. I did not specifically analyze the rate
23 impact on schools.

24 Q. So with this, the utilization of the

1 gradual approach or using gradualism in order to
2 accomplish the object of mitigating significant
3 customer impacts, you didn't specifically analyze the
4 rate impact on the schools as a customer class.

5 A. No, I did not.

6 Q. Returning to page 5, the last sentence
7 says that "Furthermore, it is desirable from this
8 perspective of economic stability to proactively
9 address issues of disproportionate rate impact
10 typically felt by those customers previously served
11 on tariffs below average rate."

12 What did you mean by "the perspective of
13 economic stability" in this sentence?

14 A. Economic stability in the sense that the
15 mitigation of rate changes helps support economic
16 stability.

17 Q. Okay. I didn't understand your answer
18 because you used the same phrase, economic stability.
19 I'm trying to understand what you meant by that
20 phrase.

21 A. The impact of rate changes.

22 Q. So the impact of rate changes meaning
23 that customers are having to pay higher rates and
24 that those higher rates impact economic stability; is

1 that an accurate --

2 A. Yes.

3 Q. -- assessment of your -- okay. Does a
4 service provided by public schools of educating the
5 region and the state's school children contribute to
6 your understanding of promoting economic stability?

7 A. I don't know.

8 Q. You would agree that there's a benefit
9 that's connected to economic stability of education.

10 A. Can you rephrase that question?

11 Q. Sure. My question is related to your
12 testimony, you suggest that the district -- there's a
13 disproportionate -- excuse me. If you could strike
14 that.

15 There's a disproportionate rate impact
16 typically -- sorry. Excuse me. If you could strike
17 that.

18 My question relates to the contribution
19 of schools to economic stability and how -- and
20 whether the companies perceive there's a benefit from
21 that that you were trying to -- that you considered
22 in your testimony.

23 A. I did not consider the economic benefit
24 of schools.

1 Q. Okay. Continuing on in this sentence,
2 you mention that the purpose is "to proactively
3 address issues of disproportionate rate impact
4 typically felt by those customers previously served
5 on tariffs with below average rates." What do you
6 mean by "below average rates"?

7 A. Below average rates is a general term
8 that when I looked at the comparisons of the class
9 schedules, the percent increase would be larger for
10 those customers that had lower average rates.

11 Q. Okay. Would you agree with me the public
12 school districts have been on schedules that have
13 paid below-average rates, these being the current
14 large school and small school tariff rates in the
15 past in the CEI and Toledo Edison territories?

16 MR. HAYDEN: Objection.

17 A. Could you rephrase the question?

18 Q. Sure. Would you agree with me that the
19 public school districts have paid below-average rates
20 in the current large school and small school tariffs
21 within the CEI and Toledo Edison service territories?

22 A. In comparison to what?

23 Q. To your explanation a moment ago of what
24 was the average rates.

1 A. When I looked at the rate schedules, I
2 looked at the rate schedules in the -- on the
3 proposed rates and the aggregate on the eight
4 schedules and that was my comparison tool. Those
5 customers that had -- those customer classes or
6 groups that had larger increases are those ones that
7 had lower average rates. I did not look at it on a
8 customer or individual rate schedule basis.

9 Q. In preparing the electric security plan
10 filing has FirstEnergy or any of the companies
11 specifically performed an analysis of the plan's
12 potential rate impact on school districts served on
13 the Energy for Education program?

14 A. Proposed in this case?

15 Q. Correct.

16 A. No.

17 Q. In preparing the electric security plan
18 filing has FirstEnergy or any of the companies
19 performed an analysis of the rate impact upon public
20 school districts of discontinuing the currently
21 available small school and large school distribution
22 rate schedules for CEI and Toledo Edison?

23 A. Can you say that one more time?

24 Q. Sure. In preparing the electric security

1 plan filing has the company performed any analysis of
2 the rate impact upon public school districts of
3 discontinuing the currently available small school
4 and large school distribution rate schedules for
5 Toledo Edison and Cleveland Electric Illuminating
6 companies?

7 A. It has provided a typical bills analysis.

8 Q. And what did that entail?

9 A. A by current rate schedule to proposed
10 rate schedule analysis at various usage levels.

11 Q. But nothing specific to schools.

12 A. That's correct.

13 Q. Okay. Have the companies performed an
14 analysis of potential rate impact upon school
15 districts of any of the proposed riders within the
16 electric security plan?

17 A. Can you repeat the question?

18 Q. Sure. Have the companies performed an
19 analysis of potential rate impact upon public school
20 districts of any of the proposed riders that would be
21 applicable within the electric security plan?

22 A. Once again, the typical bill analysis
23 shows the current rates to proposed rates.

24 Q. Has there been any additional analysis --

1 A. No.

2 Q. -- besides that?

3 Mr. Hussing, are you familiar with the
4 Energy for Education II program currently provided to
5 public school districts within the Ohio Schools
6 Council currently provided by the companies?

7 A. I'm aware of the program.

8 Q. Okay. To your knowledge, has FirstEnergy
9 performed any analysis of the rate impact upon school
10 accounts for the discontinuation of the currently
11 available Energy for Education II program?

12 MR. HAYDEN: Objection; it's not even
13 part of this filing.

14 A. Once again, the companies have provided
15 typical bill analysis that show current to proposed
16 bills.

17 Q. But they haven't done any specific
18 analysis, to your knowledge, relating to the Energy
19 for Education program.

20 A. No.

21 Q. Okay. Have the companies completed any
22 analysis of public school districts' ability to
23 absorb the rate increases preposed by the companies
24 in this case?

1 A. Can you repeat the question?

2 Q. Sure. Have the companies completed any
3 analysis of public school districts' ability to
4 absorb the rate increases proposed by the companies
5 in this case?

6 A. No.

7 Q. If you could turn to page 12, line 13 of
8 your testimony where the rider NDU is discussed?
9 This rider is proposed to be nonbypassable to
10 customers of government aggregation; is that correct?

11 A. Yes.

12 Q. And the rider does not propose to
13 purchase the receivables or the uncollectible
14 expenses of the CRES supplier of a governmental
15 aggregation?

16 A. Can you repeat the question?

17 Q. Sure. The rider, rider NDU discussed on
18 pages 12 and 13, does not propose to purchase the
19 receivables or uncollectible expenses of a CRES
20 supplier of a governmental aggregation.

21 A. That is correct.

22 Q. It's your understanding and you would
23 accept that a CRES supplier similar to the companies
24 will also have uncollectible expenses?

1 A. Yes.

2 Q. And so customers of governmental
3 aggregation will have to pay both FirstEnergy's
4 uncollectible expenses and those of the CRES supplier
5 if they choose to take service through the
6 governmental aggregation.

7 A. Can you repeat the question?

8 Q. Sure. Customers of a governmental
9 aggregation will have to pay both the FirstEnergy's
10 uncollectible expenses and those of the CRES supplier
11 that the governmental aggregation contracts with if
12 they choose to take service through the governmental
13 aggregation.

14 A. The companies' proposing a nonbypassable
15 rider to collect uncollect -- collect its
16 uncollectible portion through all customers, its
17 nondistribution uncollectibles through all customers.

18 Q. Right. So if a customer is taking
19 service from a government aggregation, they would
20 have to pay that uncollectible expense rider, rider
21 NDU.

22 A. The customer would pay the -- if a
23 shopped customer is going to pay the expenses
24 associated with the provisions of the supplier as

1 well as the provisions of this rider.

2 Q. Right. So does recovery of
3 uncollectibles by the utility and not by the CRES
4 supplier of the governmental aggregation create a
5 competitive advantage to the utility?

6 Would you like me to specify where I was
7 going with that?

8 A. I don't understand what you mean by
9 "competitive advantage."

10 Q. Well, a competitive advantage in that
11 because CRES customers will be paying for both the
12 companies' and the CRES's uncollectible expenses if
13 they choose to seek generation -- or, to take service
14 from the governmental aggregation, that they will
15 essentially be paying that fee twice.

16 A. No, the company is seeking to recover the
17 uncollectibles expenses associated with customers
18 that are at risk and that, you know, all customers --
19 that's a social cost that all customers should bear.

20 Q. But you don't -- I'm sorry.

21 A. I'm done.

22 Q. Were you finished?

23 A. Yeah.

24 Q. I apologize if I interrupted you.

1 But you don't agree that the
2 uncollectible expenses of the CRES providers are
3 social costs that all customers should bear.

4 A. The uncollectibles associated, if there
5 is any associated with a CRES supplier, is limited by
6 the ability of the CRES supplier to have credit
7 policies and termination of its contracts pursuant to
8 its own terms where the utility's credit -- or its
9 uncollectibles are borne by state policy and by the
10 terms of being the provider of last resort. It can't
11 pick and choose its customers.

12 Q. So if the state made it state policy, I'm
13 going to refer you to Ohio Revised Code 4928.20(K), I
14 can provide you with a copy if you'd like to see it,
15 but I'll just read it, it says, "The Commission shall
16 adopt rules to encourage and promote large-scale
17 governmental aggregation in this state. For that
18 purpose, the commission shall conduct an immediate
19 review of any rules it has adopted for the purpose of
20 this section that are in effect on the effective date
21 of the amendment of this section by SB 221."

22 So if the Commission made it state policy
23 for the uncollectible expenses of the governmental
24 aggregation's CRES supplier to be collected, would

1 rider NDU be a vehicle through which those expenses
2 could be collected?

3 MR. HAYDEN: Objection.

4 A. That's a hypothetical which I don't know
5 the answer to.

6 Q. So the construction of rider NDU could
7 not be used to similarly collect the uncollectible
8 expenses of a governmental aggregation's CRES
9 supplier?

10 A. The company is not proposing this rider
11 to collect CRES supplier uncollectibles.

12 Q. I understand that. But is it feasible to
13 do so based on the construction of this rider if it
14 became state policy similar to your argument that
15 it's -- or your testimony that it's state policy to
16 collect the companies' uncollectible expenses?

17 A. I can't speak to the feasibility of
18 something that doesn't exist.

19 Q. Okay.

20 MR. BREITSCHWERDT: That's all I have.
21 Thank you.

22 MR. HAYDEN: Does anybody else -- I know
23 we hadn't planned on going any further. I assume
24 nobody else who's still on the line has questions and

1 that the remaining questions will be posed to
2 Mr. Hussing on Friday at 9 a.m. Is anybody else
3 still on?

4 MR. STINSON: This is Dane Stinson. I'm
5 still on. I'll take it up at 9 on Friday.

6 MR. HAYDEN: Okay.

7 MS. McALISTER: Yeah, this is Lisa
8 McAlister. Is there any way we can start at 9:30?

9 MR. POULOS: That's fine with OCC.

10 MR. STINSON: Fine here.

11 MR. MILLER: This is Chris Miller for
12 Cleveland. Yeah, we're fine waiting until 9:30 on
13 Friday.

14 MR. LAVANGA: That's okay, for Nucor.

15 MR. POULOS: Mark, how is that for FE?

16 MR. HAYDEN: That's fine. I'll go ahead
17 and send out a subsequent e-mail probably tonight or
18 tomorrow morning and indicate we'll start at 9:30 on
19 Friday morning.

20 Can we go off the record, please?

21 (The deposition adjourned at 7:27 p.m.)

22 - - -

1 State of Ohio :
2 County of _____ : SS:
3

4 I, Gregory F. Hussing, do hereby certify that
5 I have read the foregoing transcript of my deposition
6 given on Tuesday, September 23, 2008; that together
7 with the correction page attached hereto noting
8 changes in form or substance, if any, it is true and
9 correct.

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
Gregory F. Hussing

I do hereby certify that the foregoing
transcript of the deposition of Gregory F. Hussing
was submitted to the witness for reading and signing;
that after he had stated to the undersigned Notary
Public that he had read and examined his deposition,
he signed the same in my presence on the _____ day
of _____, 2008.

Notary Public

My commission expires _____, _____.

- - -


1
2 CERTIFICATE

3 State of Ohio :
4 : SS:
5 County of Franklin :

6 I, Maria DiPaolo Jones, Notary Public in and
7 for the State of Ohio, duly commissioned and
8 qualified, certify that the within named Gregory F.
9 Hussing was by me duly sworn to testify to the whole
10 truth in the cause aforesaid; that the testimony was
11 taken down by me in stenotypy in the presence of said
12 witness, afterwards transcribed upon a computer; that
13 the foregoing is a true and correct transcript of the
14 testimony given by said witness taken at the time and
15 place in the foregoing caption specified and
16 completed without adjournment.

17 I certify that I am not a relative, employee,
18 or attorney of any of the parties hereto, or of any
19 attorney or counsel employed by the parties, or
20 financially interested in the action.

21 IN WITNESS WHEREOF, I have hereunto set my
22 hand and affixed my seal of office at Columbus, Ohio,
23 on this 25th day of September, 2008.

24 
Maria DiPaolo Jones, Registered
Diplomate Reporter, CRR and
Notary Public in and for the
State of Ohio.

My commission expires June 19, 2011.

(MDJ-3262C)

- - -