

FILED

BEFORE THE
PUBLIC UTILITIES COMMISSION OF OHIO

RECEIVED-DOCKETING DIV
2011 AUG 29 PM 5:01

In the Matter of the Application of)
Columbus Southern Power Company and)
Ohio Power Company for Authority to)
Establish a Standard Service Offer)
Pursuant to § 4928.143, Ohio Rev. Code,)
in the Form of an Electric Security Plan.)

Case Nos. 11-346-EL-SSO
11-348-EL-SSO

PUCO

In the Matter of the Application of)
Columbus Southern Power Company and)
Ohio Power Company for Approval of)
Certain Accounting Authority.)

Case Nos. 11-349-EL-AAM
11-350-EL-AAM


**FIRSTENERGY SOLUTIONS CORP.'S
NOTICE OF FILING DEPOSITION TRANSCRIPTS**

FirstEnergy Solutions Corp. ("FES"), pursuant to O.A.C. 4901-1-24, hereby provides notice to all parties that it is filing the following deposition transcripts:

- Exhibit A- Thomas S. Lyle
- Exhibit B- Laura J. Thomas (non-confidential portion only, confidential portion filed under seal with FES Motion for Protective Order)
- Exhibit C- Anil K. Makhija
- Exhibit D- Chantale LaCasse ✓
- Exhibit E- Joseph Hamrock
- Exhibit F- Stephen J. Baron
- Exhibit G- Philip J. Nelson
- Exhibit H- David Rousch

This is to certify that the images appearing are an accurate and complete reproduction of a case file document delivered in the regular course of business.
Technician HL Date Processed 8/30/2011

Respectfully submitted,



Mark A. Hayden (0081077)
FIRSTENERGY SERVICE COMPANY
76 South Main Street
Akron, OH 44308
(330) 761-7735
(330) 384-3875 (fax)
haydenm@firstenergycorp.com

James F. Lang (0059668)
Laura C. McBride (0080059)
N. Trevor Alexander (0080713)
CALFEE, HALTER & GRISWOLD LLP
1400 KeyBank Center
800 Superior Ave.
Cleveland, OH 44114
(216) 622-8200
(216) 241-0816 (fax)
jlang@calfee.com
lmcbride@calfee.com
talexander@calfee.com


David A. Kutik (0006418)
JONES DAY
901 Lakeside Avenue
Cleveland, OH 44114
(216) 586-3939
(216) 579-0212 (fax)
dakutik@jonesday.com

Allison E. Haedt (0082243)
JONES DAY
P.O. Box 165017
Columbus, OH 43216-5017
(614) 469-3939
(614) 461-4198 (fax)
aehaedt@jonesday.com

Attorneys for FirstEnergy Solutions Corp.

CERTIFICATE OF SERVICE

I hereby certify that a copy of the foregoing *FirstEnergy Solutions Corp.'s Notice of Filing Deposition Transcripts* was served this 29th day of August, 2011, via e-mail upon the parties below.



One of the Attorneys for FirstEnergy Solutions Corp.

Steven T. Nourse
Matthew J. Satterwhite
American Electric Power Corp.
1 Riverside Plaza, 29th Floor
Columbus, Ohio 43215
stnourse@aep.com
mjsatterwhite@aep.com

Dorothy K. Corbett
Amy Spiller
Duke Energy Retail Sales
139 East Fourth Street
1303-Main
Cincinnati, Ohio 45202
dorothy.corbett@duke-energy.com
amy.spiller@duke-energy.com

Daniel R. Conway
Porter Wright Morris & Arthur
41 South High Street
Columbus, Ohio 43215
dconway@porterwright.com

David F. Boehm
Michael L. Kurtz
Boehm, Kurtz & Lowry
36 East Seventh Street, Suite 1510
Cincinnati, Ohio 45202
dboehm@bkllawfirm.com
mkurtz@bkllawfirm.com

Samuel C. Randazzo
Joseph E. Oliker
Frank P. Darr
McNees Wallace & Nurick
21 East State Street, 17th Floor
Columbus, Ohio 43215
sam@mwncmh.com
joliker@mwncmh.com
fdarr@mwncmh.com

Terry L. Etter
Maureen R. Grady
Office of the Ohio Consumers' Counsel
10 West Broad Street, Suite 1800
Columbus, Ohio 43215-3485
etter@occ.state.oh.us
idzkowski@occ.state.oh.us
grady@occ.state.oh.us

Richard L. Sites
Ohio Hospital Association
155 East Broad Street, 15th Floor
Columbus, Ohio 43215-3620
ricks@ohanet.org

Thomas J. O'Brien
Bricker & Eckler
100 South Third Street
Columbus, Ohio 43215-4291
tobrien@bricker.com

Colleen L. Mooney
David C. Rinebolt
Ohio Partners for Affordable Energy
231 West Lima Street
Findlay, Ohio 45840
cmooney2@columbus.rr.com
drinebolt@ohiopartners.org

John W. Bentine
Mark S. Yurick
Zachary D. Kravitz
Chester Willcox & Saxbe, LLP
65 East State Street, Suite 1000
Columbus, Ohio 43215
jbentine@cwsllaw.com
myurick@cwsllaw.com
zkravitz@cwsllaw.com

Terrence O'Donnell
Christopher Montgomery
Bricker & Eckler LLP
100 South Third Street
Columbus, Ohio 43215-4291
todonnell@bricker.com
cmontgomery@bricker.com

Jesse A. Rodriguez
Exelon Generation Company, LLC
300 Exelon Way
Kennett Square, Pennsylvania 19348
jesse.rodriguez@exeloncorp.com

Glen Thomas
1060 First Avenue, Ste. 400
King of Prussia, Pennsylvania 19406
gthomas@gtpowergroup.com

Henry W. Eckhart
2100 Chambers Road, Suite 106
Columbus, Ohio 43212
henryeckhart@aol.com

Christopher L. Miller
Gregory H. Dunn
Asim Z. Haque

Jay E. Jadwin
American Electric Power Service
Corporation
1 Riverside Plaza, 29th Floor
Columbus, Ohio 43215
jejadwin@aep.com

Michael R. Smalz
Joseph V. Maskovyak
Ohio Poverty Law Center
555 Buttles Avenue
Columbus, Ohio 43215
msmalz@ohiopoverlylaw.org
jmaskovyak@ohiopoverlylaw.org

Lisa G. McAlister
Matthew W. Warnock
Bricker & Eckler LLP
100 South Third Street
Columbus, Ohio 43215-4291
lmcalister@bricker.com
mwarnock@bricker.com

William L. Massey
Covington & Burling, LLP
1201 Pennsylvania Ave., NW
Washington, DC 20004
wmassey@cov.com

Laura Chappelle
4218 Jacob Meadows
Okemos, Michigan 48864
laurac@chappelleconsulting.net

Pamela A. Fox
Law Director
The City of Hilliard, Ohio
pfox@hilliardohio.gov

M. Howard Petricoff
Stephen M. Howard
Michael J. Settineri

Schottenstein Zox & Dunn Co., LPA
250 West Street
Columbus, Ohio 43215
cmiller@szd.com
gdunn@szd.com
ahaque@szd.com

Sandy Grace
Exelon Business Services Company
101 Constitution Avenue N.W., Suite 400
East
Washington, DC 20001
sandy.grace@exeloncorp.com

Kenneth P. Kreider
Keating Muething & Klekamp PLL
One East Fourth Street, Suite 1400
Cincinnati, Ohio 45202
kpkreider@kkmklaw.com

Holly Rachel Smith
Holly Rachel Smith, PLLC
Hitt Business Center
3803 Rectortown Road
Marshall, Virginia 20115
holly@raysmithlaw.com

Gregory J. Poulos
EnerNOC, Inc.
101 Federal Street, Suite 1100
Boston, MA 02110
gpoulos@enernoc.com

Philip B. Sineneng
Carolyn S. Flahive
Thompson Hine LLP
41 S. High Street, Suite 1700
Columbus, Ohio 43215
philip.sineneng@thompsonhine.com
carolyn.flahive@thompsonhine.com

Lija Kaleps-Clark
Vorys, Sater, Seymour and Pease LLP
52 E. Gay Street
Columbus, Ohio 43215
mhpetricoff@vorys.com
smhoward@vorys.com
mjsettineri@vorys.com
lkalepsclark@vorys.com

Gary A. Jeffries
Dominion Resources Services, Inc.
501 Martindale Street, Suite 400
Pittsburgh, PA 15212-5817
gary.a.jeffries@dom.com

Steve W. Chriss
Wal-Mart Stores, Inc.
2001 SE 10th Street
Bentonville, Arkansas 72716
stephen.chriss@wal-mart.com

Barth E. Royer
Bell & Royer Co., LPA
33 South Grant Avenue
Columbus, Ohio 43215-3927
barthroyer@aol.com

Werner L. Margard III
John H. Jones
Assistant Attorneys General
Public Utilities Section
180 East Broad Street, 6* Floor
Columbus, OH 43215
werner.margard@puc.state.oh.us
john.jones@puc.state.oh.us

Emma F. Hand
Douglas G. Bonner
SNR Denton US LLP
1301 K Street, NW, Suite 600, East Tower
Washington, DC 20005-3364
emma.hand@snrdenton.com
doug.bonner@snrdenton.com

E. Camille Yancey
Nolan Moser
Trent A. Dougherty
Ohio Environmental Council
1207 Grandview Avenue, Suite 201
Columbus, Ohio 43212-3449
camille@theoec.org
nolan@theoec.org
trent@theoec.org

Shannon Fisk
2 North Riverside Plaza, Suite 2250
Chicago, IL 60606
sfisk@nrdc.org

Tara C. Santarelli
Environmental Law & Policy Center
1207 Grandview Ave., Suite 201
Columbus, Ohio 43212
tsantarelli@elpc.org

Cynthia Fonner Brady
550 W. Washington Street, Suite 300
Chicago, IL 60661
cynthia.a.fonner@constellation.com

BEFORE THE PUBLIC UTILITIES COMMISSION OF OHIO

In the Matter of the :
 Application of Columbus :
 Southern Power Company :
 and Ohio Power Company :
 for Authority to Establish :
 a Standard Service Offer : Case No. 11-346-EL-SSO
 Pursuant to §4928.143, : Case No. 11-348-EL-SSO
 Ohio Rev. Code, In the :
 Form of an Electric :
 Security Plan. :

In the Matter of the :
 Application of Columbus :
 Southern Power Company : Case No. 11-349-EL-AAM
 and Ohio Power Company : Case No. 11-350-EL-AAM
 for Approval of Certain :
 Accounting Authority. :

DEPOSITION

of Dr. Chantale LaCasse, taken before me, Maria
 DiPaolo Jones, a Notary Public in and for the State
 of Ohio, at the offices of Porter, Wright, Morris &
 Arthur, LLP, 41 South High Street, Columbus, Ohio, on
 Tuesday, August 9, 2011, at 1:30 p.m.

ARMSTRONG & OKEY, INC.
 222 East Town Street, 2nd Floor
 Columbus, Ohio 43215
 (614) 224-9481 - (800) 223-9481
 FAX - (614) 224-5724

1 APPEARANCES VIA SPEAKERPHONE (continued):
 2 Chester, Willcox & Saxbe, LLP
 3 By Mr. Zachary D. Kravitz
 65 East State Street, Suite 1000
 Columbus, Ohio 43215-4213

On behalf of Kroger Company.

SNR Denton US, LLP
 By Ms. Emma F. Hand
 1301 K Street NW
 Suite 600 East Tower
 Washington, D.C. 20005

On behalf of Ormet Primary Aluminum
 Corporation.

Janine L. Migden-Ostrander
 Ohio Consumers' Counsel
 By Mr. Terry Etter
 Ms. Maureen Grady

Assistant Consumers' Counsel
 10 West Broad Street, Suite 1800
 Columbus, Ohio 43215-3485

On behalf of the Residential Ratepayers
 of Columbus Southern Power Company and
 Ohio Power Company.

ALSO PRESENT:

Mr. Pat Lawrence.

APPEARANCES:

American Electric Power
 By Mr. Matthew J. Satterwhite
 1 Riverside Plaza
 Columbus, Ohio 43215-2373

On behalf of the Applicants.

Calfee, Halter & Griswold, LLP
 By Mr. N. Trevor Alexander
 1100 Fifth Third Center
 21 East State Street
 Columbus, Ohio 43215-4243

On behalf of FirstEnergy Solutions
 Corporation.

APPEARANCES VIA SPEAKERPHONE:

Thompson Hine, LLP
 By Mr. Philip B. Simeneng
 41 South High Street, Suite 1700
 Columbus, Ohio 43215

On behalf of Duke Energy Retail.

Ohio Poverty Law Center
 By Mr. Michael Smalz
 555 Burtles Avenue
 Columbus, Ohio 43215

On behalf of Appalachian Peace and
 Justice Network.

McNees, Wallace & Nurick, LLC
 By Mr. Frank P. Darr
 Fifth Third Center, Suite 1700
 21 East State Street
 Columbus, Ohio 43215-4288

On behalf of Industrial Energy Users.

INDEX

WITNESS	PAGE
Dr. Chantale LaCasse	
Examination by Mr. Alexander	6
Examination by Ms. Grady	90

LaCASSE DEPOSITION EXHIBIT	IDENTIFIED
1 - Corrected Version of the Testimony of Chantale LaCasse	7

Page 5

1 Tuesday Afternoon Session,
2 August 9, 2011.

3 ---

4 (Witness sworn.)

5 MR. ALEXANDER: Good afternoon. My name
6 is Trevor Alexander and I'm one of the lawyers
7 representing FirstEnergy Solutions Corporation.
8 Could all the parties that are present in person
9 today please identify themselves.

10 MR. SATTERWHITE: Sure. Matthew
11 Satterwhite on behalf of the companies.

12 MR. ALEXANDER: And could all the parties
13 that are participating via telephone please identify
14 themselves.

15 MS. HAND: This is Emma Hand representing
16 Ormet Primary Aluminum Corporation.

17 MR. SMALZ: This is Mike Smalz of the
18 Ohio Poverty Law Center representing the Appalachian
19 Peace and Justice Network.

20 MR. SINENENG: Philip Sineneng on behalf
21 of Duke Energy Retail.

22 MR. DARR: Frank Darr on behalf of IEU.

23 MR. KRAVITZ: For The Kroger Company,
24 Zach Kravitz, Chester, Willcox & Saxbe.

Page 6

1 MR. ETTER: Terry Etter with the Office
2 of the Ohio Consumers' Counsel.

3 ---

4 DR. CHANTALE LaCASSE
5 being by me first duly sworn, as hereinafter
6 certified, deposes and says as follows:

7 EXAMINATION

8 By Mr. Alexander:

9 Q. Dr. LaCasse, I'm going to be asking you a
10 few questions today. If you don't understand
11 something, please let me know and I'll rephrase it or
12 ask the court reporter to read it back. If you need
13 to take a break, please just let me know, I'll just
14 ask that you don't take a break while the question is
15 pending. It is important to wait until I have
16 completely finished the question before answering.
17 When you do answer, please state your answers
18 clearly. Do not use gestures, shrug, nod your head,
19 or use phrases like "uh-huh" because those will not
20 be reflected in the transcript.

21 During this deposition we are going to be
22 discussing the constrained Black-Scholes model
23 proposed by Ms. Thomas. As you did in your
24 testimony, I'm going to refer to this model as the

Page 7

1 "Black model." Do you understand this definition?

2 A. Yes.

3 Q. And as another definition of convenience
4 I'm going to refer to Ohio Power Company and Columbus
5 Southern Power Company collectively as "AEP." Do you
6 understand this definition?

7 A. Yes.

8 Q. Could you please state your name for the
9 record and provide the correct spelling.

10 A. My name is Chantale LaCasse,
11 C-h-a-n-t-a-l-e L-a-C-a-s-s-e.

12 Q. And I am handing you what the reporter
13 has previously marked as Exhibit 1. Do you recognize
14 this document?

15 A. Yes.

16 Q. And what is the document?

17 A. It's the direct testimony.

18 Q. And do you believe all of your testimony
19 contained in this exhibit is still true and accurate?

20 A. I do.

21 Q. And if I asked you these same questions
22 again right now, would your answers be the same?

23 A. Yes.

24 Q. Is the address listed on page 1, line 3

Page 8

1 still your correct business address?

2 A. It is.

3 Q. Is your compensation for testifying in
4 this case based in any way on the eventual outcome of
5 this litigation?

6 A. No.

7 Q. No contingency fee or success fee?

8 A. No.

9 Q. Did you review any documents in
10 preparation for your deposition today?

11 A. In the course of preparing my testimony I
12 reviewed the documents in the 9-11 ESP, I reviewed
13 also testimony and analyses that are in the current
14 case, what I call the 12-14 ESP, and I also reviewed
15 some of the intervenor testimony.

16 Q. Do you recall if you reviewed the
17 intervenor testimony for the witnesses from
18 FirstEnergy Solutions?

19 A. Some of that testimony.

20 Q. Which testimony did you review?

21 A. I reviewed Mr. Schnitzer's testimony.

22 Q. Did you review Mr. Lesser's testimony?

23 A. No.

24 Q. Did you review Mr. Banks' testimony?

2 (Pages 5 to 8)

Page 9

1 A. No.
 2 Q. Did you review Mr. Shanker's testimony?
 3 A. No.
 4 Q. Did you review Staff Witness Benedict's
 5 testimony?
 6 A. No.
 7 Q. Have you reviewed the mathematical
 8 formula used by AEP to create the Black model value
 9 that we've been talking about in this litigation?
 10 A. Could you rephrase the question?
 11 Q. Certainly. Have you reviewed the
 12 workpapers provided by Company Witness Thomas that
 13 show the Black model formula used by AEP in this
 14 case?
 15 A. Yes.
 16 Q. Your CV is attached to your testimony as
 17 Exhibit CL-1; is that correct?
 18 A. That's correct.
 19 Q. Do you have any education, training,
 20 certificates, or degrees other than as reflected on
 21 Exhibit CL-1?
 22 A. No.
 23 Q. And I note that your CV does not reflect
 24 your testimony in the case number 08-917 which I

Page 10

1 think you referred to as the 09-11 ESP case or in
 2 this proceeding. Other than those two proceedings
 3 does your testimony accurately list all of your prior
 4 testimony experience?
 5 A. Yes.
 6 Q. And there's a list of consulting
 7 experience which begins on page 3 of Exhibit CL-1.
 8 Is this a true and accurate list of all of your
 9 significant consulting experience?
 10 A. Yes.
 11 Q. None of the consulting experiences which
 12 you have listed on Exhibit CL-1 relate to the nature
 13 of the shopping risks that are faced by an EDU or a
 14 supplier, correct?
 15 THE WITNESS: Could I have the question
 16 reread, please.
 17 (Record read.)
 18 A. That's correct.
 19 Q. And none of the consulting experiences
 20 which you have listed on Exhibit CL-1 relate to
 21 examining the cost which an EDU or supplier may incur
 22 as a result of shopping, correct?
 23 A. That's correct.
 24 Q. And none of your consulting experience

Page 11

1 listed on Exhibit CL-1 relates to examining the
 2 methods by which costs associated with shopping risks
 3 were quantified by the EDU or supplier, correct?
 4 A. That's correct.
 5 Q. None of your consulting experience listed
 6 on Exhibit CL-1 relates to using the valuation of an
 7 option as a method for measuring costs associated
 8 with shopping risk; is that correct?
 9 A. Correct.
 10 Q. None of your consulting experience listed
 11 on Exhibit CL-1 involved the calculation of the
 12 shopping risk to an EDU using the Monte Carlo method,
 13 correct?
 14 A. That's correct.
 15 Q. And there's a list of your prior
 16 testimony contained on Exhibit CL-1, pages 6 through
 17 8. With the exception of your testimony in this case
 18 and in the remand proceeding I'm going to be asking
 19 you some questions about all of your prior testimony,
 20 but it's with the understanding that we are exempting
 21 your testimony in this case and your testimony in the
 22 remand proceeding. Do you understand that
 23 clarification?
 24 A. I do.

Page 12

1 Q. None of the testimony which you have
 2 listed on Exhibit CL-1 relates to the nature of the
 3 shopping risks that are faced by an EDU or supplier,
 4 correct?
 5 MR. SATTERWHITE: Just for clarification
 6 before she answers, when you say "shopping risk,"
 7 you're referring to the Ohio structure, shopping
 8 under the Ohio statute?
 9 MR. ALEXANDER: No.
 10 Q. I'm referring to any sort of shopping
 11 risk which would include the shopping risk from any
 12 other state which you believe is relevant to the Ohio
 13 shopping risk. Would it be helpful for me to reread
 14 the question?
 15 A. It would, please.
 16 Q. None of the testimony which you have
 17 listed on Exhibit CL-1 relates to the nature of the
 18 shopping risks that are faced by an EDU or a
 19 supplier, correct?
 20 A. I would just clarify here that some of
 21 the testimony that is listed here relates to default
 22 service plans in which I, as a part of my testimony I
 23 would have referred to the fact that bidders in
 24 providing supply, default service supply, would be

3 (Pages 9 to 12)

Page 13

1 facing certain risks.

2 Q. What specific testimony experience are
3 you referring to?

4 A. For example, the Met-Ed and Penelec, the
5 Metropolitan Edison Company and Pennsylvania Electric
6 Company, for the petition of their approval of
7 default service plan.

8 Q. That would be on page 6 of Exhibit CL-1?

9 A. Correct.

10 Q. When you say your testimony related to
11 default service, how does that relate to shopping
12 risk?

13 A. Right. I just want to clarify that from
14 your question it sounded like there was no overlap,
15 let's say, between shopping risk and the testimony
16 that is listed here. I don't have all of the
17 testimony in my head at this moment, but in the
18 course of looking at methods to provide supply for
19 default service I may in the course of that testimony
20 have mentioned the risks that are faced by suppliers
21 in the course of bidding in the auction to provide
22 default service, and that would include risks that
23 those suppliers face.

24 Q. When you say "risks that those suppliers

Page 14

1 face," are you referring to shopping risks?

2 A. That would be one of those risks, yes.

3 Q. But the testimony did not specifically
4 concern shopping risks.

5 A. That's correct.

6 Q. None of the testimony which you have
7 listed on Exhibit CL-1 relates to examining the costs
8 which an EDU or supplier must incur as a result of
9 shopping, correct?

10 A. That's correct.

11 Q. None of the testimony listed on Exhibit
12 CL-1 relates to examining the methods by which costs
13 associated with shopping risk were quantified or
14 measured by an EDU or supplier, correct?

15 A. That's correct.

16 Q. None of your testimony listed on Exhibit
17 CL-1 relates to using the valuation of an option as a
18 method for measuring costs associated with shopping
19 risk, correct?

20 MR. SATTERWHITE: Just for the record I'm
21 going to -- you gave your clarification of what
22 shopping was and it was pretty broad, so I'm just
23 going to object to how broad it is. Go ahead.

24 A. That's correct.

Page 15

1 Q. And none of your testimony listed on
2 Exhibit CL-1 involved the calculation of the shopping
3 risk to an EDU using the Monte Carlo method, correct?

4 A. That's correct.

5 MR. SATTERWHITE: And that objection was
6 for all the questions that dealt with shopping.

7 Q. There's a list of your publications
8 contained on Exhibit CL-1, pages 8 to 9. Is this a
9 true and accurate list of all of the publications
10 which you have authored?

11 A. Yes.

12 Q. None of the publications which you have
13 listed on Exhibit CL-1 relate to the nature of the
14 shopping risks that are faced by an EDU or supplier,
15 correct?

16 A. That's correct.

17 Q. None of the publications which you have
18 listed on Exhibit CL-1 relate to examining the costs
19 which an EDU or supplier may incur as a result of
20 shopping, correct?

21 A. That's correct.

22 Q. None of the publications listed on
23 Exhibit CL-1 relate to examining the methods by which
24 costs associated with shopping risk are quantified or

Page 16

1 measured, correct?

2 A. That's correct.

3 Q. None of your publications listed on
4 Exhibit CL-1 relate to using the valuation of an
5 option as a method for measuring costs associated
6 with shopping risk, correct?

7 A. That's correct.

8 Q. And none of your publications listed on
9 Exhibit CL-1 involve the calculation of the shopping
10 risk to an EDU using the Monte Carlo method, correct?

11 A. That's correct.

12 Q. What portion of your education, if any,
13 included a discussion of the Black model?

14 A. I did not study that specifically.

15 Q. Do any of your published works contain a
16 discussion of the Black model?

17 A. No.

18 Q. You don't work with the Black model
19 regularly; is that correct?

20 A. I do not.

21 Q. And have you ever worked with the Black
22 model before your testimony in these two AEP cases?

23 A. Not specifically. I relied on other
24 experts at NERA that do work regularly with the Black

4 (Pages 13 to 16)

Page 17

1 model and -- that's it. Sorry.

2 Q. Outside of your testimony in these two
3 AEP cases have you ever worked with an option model
4 to price shopping risk?

5 MR. SATTERWHITE: I guess I'll object to
6 the form. I don't want to object to every question.
7 When you say "shopping risk," I think you're meaning
8 the POLR obligation, correct?

9 MR. ALEXANDER: No. And, in fact, I'll
10 tell you what we'll do, let's ask the witness.

11 MR. SATTERWHITE: I just want to clarify
12 because --

13 MR. ALEXANDER: Sure, we'll clarify it so
14 we're all on the same page here.

15 Q. Dr. LaCasse, what do you understand the
16 phrase "shopping risk" to mean to you, not what I
17 have been meaning by the words, but when you hear the
18 words "shopping risk," what do you think?

19 A. What I'm understanding by "shopping risk"
20 is what I've used in my testimony, that is the risk
21 that customers that are taking SSO type service will
22 take service from, in the Ohio context, a CRES
23 provider and potentially return to the EDU or SSO
24 provider.

Page 18

1 Q. And, in fact, that risk is not limited to
2 Ohio; isn't that correct?

3 A. That's correct.

4 Q. And you've cited studies from other
5 states which have a similar shopping risk to Ohio in
6 your testimony; isn't that correct?

7 A. Yes.

8 Q. So when we say "shopping risk," can we
9 agree that means the risk of customers shopping
10 regardless of state?

11 A. Yes.

12 MR. SATTERWHITE: I just wanted to make
13 sure we're all on the same page.

14 Q. So I'm going to reask the last question
15 because I want to make sure we're on the same page
16 here. Outside of your testimony in these two AEP
17 cases have you ever worked with an option model to
18 price shopping risk?

19 A. No.

20 Q. Please turn to page 2 of your testimony,
21 specifically lines 1 through 3. In these lines you
22 state your consulting experience at NERA has
23 principally consisted of designing and implementing
24 competitive bidding processes for the procurement of

Page 19

1 default service for electric utilities; is that
2 correct?

3 A. That's correct.

4 Q. In your role at NERA did you analyze the
5 different methods that were used by the suppliers to
6 determine their shopping risks?

7 A. As I mention in my testimony, I would
8 expect those bidders to utilize a variety of
9 different methods and that those strategies to manage
10 the POLR risk would be proprietary.

11 Q. Are you finished?

12 A. Yes.

13 Q. I didn't want to cut you off there.

14 I certainly understand that, we're going
15 to get into that in detail, I'm just trying to
16 understand right now what you do in your day-to-day
17 operations at NERA. In your role at NERA did you
18 analyze the different methods that were used by the
19 suppliers to determine their shopping risks?

20 A. No.

21 Q. In your role at NERA were you aware
22 specifically of how any particular bidder quantified
23 the risk of shopping?

24 A. No.

Page 20

1 Q. In any auctions which you conducted each
2 bidder's evaluation of shopping risk would be
3 proprietary information; is that correct?

4 A. That's correct.

5 Q. And that information -- strike that.

6 Each bidder's evaluation of shopping risk
7 would not have been shared with you; is that correct?

8 A. That's correct.

9 Q. And in all of the auctions that you
10 conducted did you ever examine the methodologies used
11 by bidders to measure the costs associated with
12 shopping?

13 A. No.

14 Q. And outside of your testimony in this
15 case and in the remand case have you ever attempted
16 to quantify shopping risk?

17 A. Shopping risk specifically, no.

18 Q. Do you work regularly with Monte Carlo
19 models?

20 A. No.

21 Q. You testified regarding a Monte Carlo
22 model in the remand proceeding; is that correct?

23 A. That's correct.

24 Q. That model was the first time you had

5 (Pages 17 to 20)

Page 21

1 ever had hands-on experience with the Monte Carlo
2 method; is that correct?

3 A. Yes.

4 Q. And someone else at NERA created that
5 model; is that correct?

6 A. Someone else at NERA programmed the
7 model, yes.

8 Q. Have you or anyone else at NERA created a
9 Monte Carlo model for use in this case?

10 A. The Monte Carlo model that was presented
11 in the remand case, the structure of the model itself
12 could be used in this case as well.

13 Q. The exact same structure?

14 A. By which I mean the logic of the model,
15 not necessarily -- obviously, the inputs may be
16 different so it's not the exact -- not the, if you
17 want, spreadsheet that was provided, but the logic
18 structure of the model could be used to do an
19 analysis in this case as well.

20 Q. Have you or anyone else at NERA run the
21 Monte Carlo analysis with inputs for this case?

22 A. Well, this analysis was not presented as
23 an additional analysis in my testimony, and if such
24 an analysis was completed for this case, then I'm not

Page 22

1 aware of that.

2 Q. I didn't ask if it had been prepared for
3 this case. My question was have you or anyone else
4 at NERA run the Monte Carlo method with inputs from
5 this case? And if you would like that question
6 reread, I'd be happy to do it.

7 A. Yes, please.

8 Q. My question was have you or anyone else
9 at NERA run the Monte Carlo model with inputs from
10 this case?

11 MR. SATTERWHITE: I guess I'll object at
12 this point on a work product basis. I think she
13 already testified that she's not aware of anything
14 being run. So to the extent anything was, you're
15 trying to get into the trial prep of what the company
16 put together. What's in the testimony is what's
17 presented, anything else would be work product.

18 MR. ALEXANDER: She testified that the
19 model had not been run for this case. She is a
20 testifying expert, though, and I am allowed to
21 explore what she did and did not look at. And so
22 it's your prerogative if you're going to instruct her
23 not to answer on this basis, but since she is a
24 testifying expert and I am entitled to know what she

Page 23

1 did or did not look at, I would ask you to think
2 about that.

3 MR. SATTERWHITE: Correct. And I think
4 she testified already she's not aware of anything
5 being run. Your question then was looping the entire
6 world of NERA which she doesn't have knowledge of
7 what every single person is doing. So I think her
8 testimony stands that she's not applied it to this
9 case, what she's applied is what's in her testimony,
10 so that would be the scope of what I think the
11 question should be at this point.

12 MR. ALEXANDER: Could you read the
13 witness's answer to the original question here.

14 (Record read.)

15 Q. Dr. LaCasse, you qualified your answer as
16 an analysis was completed for this case.

17 MR. SATTERWHITE: "If" I believe was the
18 word.

19 MR. ALEXANDER: Correct. Fair.

20 Q. If such an analysis was completed for
21 this case. Are you aware of such an analysis being
22 run by you or anyone else at NERA?

23 MR. SATTERWHITE: And I guess it's the
24 same -- I think you're just asking the same question

Page 24

1 again. I think she's testified that she's not aware
2 of it and --

3 MR. ALEXANDER: "For this case" was the
4 qualification she used, and I want to avoid that
5 qualification and find out if such an analysis was
6 run.

7 MR. SATTERWHITE: You're asking in the
8 broader sense, then?

9 MR. ALEXANDER: Was the analysis run,
10 that's all I want to know.

11 MR. SATTERWHITE: Right. Is that a
12 different question, though? I'm not understanding.

13 MR. ALEXANDER: She added a qualification
14 to her original answer --

15 MR. SATTERWHITE: So you're talking about
16 beyond this case.

17 MR. ALEXANDER: -- that the analysis was
18 not run for this case. And I want to know, despite
19 the fact the analysis has not been presented or
20 attached to her testimony, was that analysis run.

21 MR. SATTERWHITE: Without the qualifier
22 of "in this case." I'm just trying to see the --

23 MR. ALEXANDER: Correct.

24 MR. SATTERWHITE: -- difference in your

6 (Pages 21 to 24)

Page 25

1 question.

2 A. By which you mean run with the input from
3 this case?

4 Q. That's correct.

5 A. I think, again, if an analysis was done
6 with the inputs from this case and was completed, I'm
7 not aware of that.

8 Q. The Monte Carlo model does not
9 incorporate switching restrictions; is that correct?

10 A. By the Monte Carlo model you mean the
11 Monte Carlo model that was presented in the remand
12 testimony?

13 Q. Correct.

14 A. No. The Monte Carlo model has switching
15 restrictions in it, so it assumes, for example, that
16 if an industrial customer switches to a CRES provider
17 on the basis of the current market price and the
18 customer, because prices rise again, subsequently
19 switches back to the EDU, then the customer has to
20 stay for 12 months.

21 Q. And it's your testimony that the Monte
22 Carlo model would track each such customer who
23 shopped and then returned and prevent that customer
24 from shopping again for a period of 12 months?

Page 26

1 A. Yes.

2 Q. The Monte Carlo model which you created
3 in the remand case calculates costs to AEP as the
4 total revenue that AEP would have received absent
5 shopping; is that correct?

6 A. Can you repeat the question?

7 Q. Sure. The Monte Carlo model which you
8 created in the remand case calculates costs to AEP as
9 the total revenue that AEP would have received absent
10 customer shopping.

11 A. I'm sorry. I don't understand the
12 question.

13 Q. Sure. Let me come at this from a
14 different angle. You used the Monte Carlo model to
15 evaluate the results provided by the Black model; is
16 that correct?

17 A. Yes.

18 Q. And you have defined AEP's cost as the
19 revenue that AEP would have received absent customer
20 shopping; is that correct?

21 A. No.

22 Q. Okay. Why isn't that correct?

23 Dr. LaCasse, just to refresh your recollection when
24 you consider this answer, you may want to look at

Page 27

1 page 7, line 11.

2 MR. ALEXANDER: Could we have the
3 question reread, please? How about this, how about
4 we just strike that question and I'll ask a new one.

5 Q. What do you consider to be AEP's cost as
6 a result of the POLR obligation?

7 A. The cost as it's evaluated by the
8 company's model is essentially driven by the expected
9 value of the difference between the ESP price and the
10 market price at which customers choose to shop. And
11 that is also the amount by which revenue for the EDU
12 can be expected to be below the ESP revenue that the
13 EDU would have received absent customer shopping, and
14 that's what the model measures as being the POLR
15 cost.

16 Q. So according to the model AEP's cost will
17 equal its -- so under the model AEP's cost will equal
18 the difference between the projected revenue and the
19 actual revenue, correct? The projected revenue --
20 let's strike that.

21 Dr. LaCasse, I'm struggling here because
22 I don't understand the distinction between your
23 definition and my definition which is AEP's cost
24 equals the revenues they would have received absent

Page 28

1 shopping. I don't understand the difference between
2 those two definitions. Can you explain the
3 difference in your mind?

4 MR. SATTERWHITE: I guess I'll object to
5 the form of the question because you're asking her to
6 explain -- she's given her definition. You're asking
7 her to explain why your mind doesn't match up with
8 her explanation.

9 Q. You can answer the question if you can.

10 A. Well, again, I think that the cost is
11 driven by the expected value of the difference
12 between the ESP price and the market price, and
13 that's also the amount by which revenue can be
14 expected to be below the ESP revenue that the EDU
15 would have received absent a customer shopping.

16 Q. So there are two aspects to POLR risk
17 that have been discussed ad nauseam here, the first
18 aspect of POLR risk is the risk of customer migration
19 when market price falls below SSO price; is that
20 correct?

21 A. That's correct.

22 Q. And AEP calculates its cost of customer
23 migration as the difference between the revenue it
24 would have received under the SSO pricing and the

7 (Pages 25 to 28)

Page 29

1 expected revenue as a result of shopping that will be
2 lower than its expected revenue; is that correct?

3 A. If market prices fall sufficiently, so
4 when the SSO period starts, the ESP price is below
5 market, that's why it was better than the
6 alternative, and if market prices then fall
7 sufficiently so that SSO customers shop, a portion of
8 the generation output that the EDU expected would
9 serve those SSO customers instead would be sold at
10 prices below the ESP and those prices in the model
11 are assumed to be retail prices that are now below
12 the ESP price and, of course, the EDU does not have
13 the opposite situation of being able to sell above
14 the ESP price when market prices rise because
15 customers come back, and that's the second part of
16 the risk that you were mentioning.

17 Q. So AEP's calculation in the Black model
18 captured the difference between the SSO price and the
19 expected market price as revenue that AEP would have
20 received absent shopping, and that is one aspect of
21 AEP's anticipated recovery; is that correct?

22 THE WITNESS: Can I have the question
23 reread.

24 (Record read.)

Page 30

1 A. I'm not sure I understand "anticipated
2 recovery" so, again, the value of the option is
3 driven by the expected difference between the ESP
4 price and the market price at which customers would
5 choose to shop. So that when market prices fall
6 sufficiently and then a portion of the generation
7 output that the EDU expected would serve SSO
8 customers, because those customers shopped, would
9 then be sold at prices that are below the ESP.

10 Q. Let's move on. The Monte Carlo method
11 does not calculate out-of-pocket cost; is that
12 correct?

13 A. The Monte Carlo model, like the
14 constrained model, measures expected cost on an
15 a priori basis.

16 Q. When you say "expected cost," what do you
17 mean?

18 A. Expected cost means that we don't know
19 the future and we have to come to a quantification of
20 cost before knowing what the future path of prices
21 would be. So it takes an average overall possible,
22 over some of the possible changes in prices in the
23 future.

24 Q. How do you define the word "cost" when

Page 31

1 you say "expected cost"?

2 A. Well, it's essentially the expected value
3 of the difference between the ESP price and the
4 market price at which customers choose to shop.

5 Q. When I say "out-of-pocket cost," I am
6 referring to funds actually expended by AEP to
7 provide POLR optionality. So just please understand
8 that distinction and definition. Do you understand
9 that?

10 A. Yes.

11 Q. Okay. The Monte Carlo model does not
12 calculate out-of-pocket costs; is that correct?

13 A. By out-of-pocket cost I understand an
14 after-the-fact cost, and the Monte Carlo model, like
15 the constrained model, measures the expected cost on
16 an a priori basis.

17 Q. It would be possible to evaluate
18 estimated out-of-pocket costs on an a priori basis;
19 isn't that correct?

20 A. I'm not sure I understand the question.

21 Q. What's the difference between an ex-ante
22 and an ex-post evaluation of risk?

23 A. Ex ante is before the fact, and ex post
24 is after the fact.

Page 32

1 Q. And you believe that from a ratemaking
2 perspective the Commission should look at ex-ante
3 costs as opposed to ex-post costs; is that correct?

4 A. By looking at an expected cost on an
5 a priori basis the expected cost can be reflected in
6 rates so that customers received an ESP price that is
7 mostly fixed.

8 Q. Is that a "yes"?

9 A. Yes.

10 Q. And is it possible on an ex ante basis to
11 know for certain whether the market price will drop
12 below the SSO price?

13 A. No.

14 Q. And you would object to looking at AEP's
15 historical shopping data because that would be
16 evaluating loss on an ex post basis; is that correct?

17 A. Can you repeat that?

18 Q. You would object to looking at AEP's
19 actual shopping data because that would be evaluating
20 cost on an ex post basis.

21 A. I looked at the shopping data in looking
22 at the effect of aggregation. Looking at the
23 shopping data provides certainly some information
24 from the point of view of the expected cost to AEP

Page 33

1 from its POLR obligation, it has that obligation with
2 respect to all customers and that evaluation is done
3 on an expected cost basis.

4 Q. So historic shopping is helpful to
5 determine the expected cost.

6 A. No.

7 Q. Dr. LaCasse, you just testified that
8 looking at the shopping data provides certainly some
9 information from the point of view of the expected
10 costs to AEP from its POLR obligation. What did you
11 mean by that?

12 THE WITNESS: Could I have the question
13 reread.

14 (Record read.)

15 A. So you asked whether I would object to
16 looking at the shopping data, so I was making the
17 point that I have looked at the shopping data for
18 purposes of determining the impact of opt-out
19 aggregation and to understand the effect of that on
20 shopping in Ohio, there's no blanket objection to
21 looking at shopping data, however, from the point of
22 view of looking at or quantifying the cost from POLR
23 risks, that that evaluation measures an expected cost
24 that's on an a priori basis.

Page 34

1 Q. Please look at your testimony, page 10,
2 lines 11 to 14. You compare shopping related risk to
3 an insurance policy and state that, quote, "...
4 there is a cost of the insurer of providing the
5 protection."

6 When you talk about ex-ante evaluation of
7 risk, are you comparing POLR risk to an insurance
8 product?

9 A. Can you repeat just the last part of the
10 question?

11 Q. Sure. When you talk about ex-ante
12 evaluation of risk, are you comparing POLR risk to an
13 insurance product?

14 A. No. What I'm doing in this portion of
15 the testimony is explain why the difference, when
16 it's estimated between the cost to meet the POLR
17 shape and the price that results from a competitive
18 solicitation, is referred to as a premium.

19 Q. At line 13 you say, "The premium reflects
20 the costs of bearing POLR risks ..." and then it
21 goes on. When you say "costs," what are you
22 referring to in that sentence?

23 A. I'm referring to the costs of having the
24 POLR obligation in the context of customers being

Page 35

1 able to shop and to return to SSO.

2 Q. To that same revenue related cost, not
3 out-of-pocket cost; is that correct?

4 MR. SATTERWHITE: Objection to form. I
5 don't think you ever agreed on -- established that
6 earlier.

7 A. The expected cost that's measured on an
8 a priori basis and that the constrained model
9 quantifies as the value of an option that's driven by
10 the expected value of the difference between the ESP
11 price and the market price.

12 Q. In the insurance industry, insurers
13 evaluate risk of loss all the time; isn't that
14 correct?

15 A. I don't know.

16 Q. You've never looked at how insurance
17 companies evaluate risk?

18 A. No.

19 Q. So at line 12 when you talk about there's
20 a cost to the insurer, what are you referring to?

21 A. That an entity that provides an
22 insurance -- an insurance policy has a potential cost
23 in the future, the contingency under which the
24 insurance policy provides a payout if that

Page 36

1 contingency occurs, then it will incur a cost.

2 Q. Sure.

3 A. So there's an expected cost of that event
4 happening.

5 Q. And for an insurance product like let's
6 say fire insurance, that expected cost would be the
7 losses the insurance company will face if somebody's
8 house burns down, correct?

9 A. It would be over many customers and over
10 all the customers of that insurance company given the
11 products that it's offering. That's what I would
12 assume.

13 Q. Sure. It would be the probability of a
14 loss after taking into account the potential amount
15 of losses, and that's how they would set premiums,
16 correct?

17 A. I don't know how they set premiums.

18 Q. And insurers do evaluate risk of loss on
19 an ex ante basis; is that correct?

20 A. Can you repeat the question.

21 Q. Insurers evaluate risk of loss on an
22 ex ante basis, correct?

23 A. By that I understand that they ask you to
24 pay the premium before it happens, so yes.

9 (Pages 33 to 36)

Page 37

1 Q. No. They evaluate the potential risk of
2 loss, the potential they will have to pay out claims,
3 before those claims actually occur. So they evaluate
4 them on an ex ante basis; is that correct?

5 A. I said I don't know the specifics of how
6 the premiums are set.

7 Q. So when you include this discussion
8 comparing POLR risk to insurance policies, what are
9 you referring to?

10 A. Do you have a particular line in mind
11 here?

12 Q. Line 8 to line 14.

13 A. This draws -- this is an analogy with an
14 insurance policy where for the case of SSO the
15 customers have the security of a given price and
16 never need to exceed the SSO price that would be
17 approved by the Commission. And like any insurance
18 policy that a customer would take, it's valuable to
19 the insured so, in the case of SSO, to have the
20 benefit of the fact that the price for electric
21 service need not exceed the SSO price, that's
22 approved by the Commission, and that is true whether
23 or not the prices, in fact, rise during the SSO term.

24 So in insurance policies in general,

Page 38

1 without knowing the details of how premiums are set,
2 there is a cost to the insurer of providing that
3 protection and whatever is paid by the customer would
4 then reflect the costs of providing that protection.

5 Q. And the cost to the insurer of providing
6 that protection that you just testified about, what
7 is that cost?

8 A. It's the expected cost of having to pay
9 out under the policy.

10 Q. Let's return to the Black model. The
11 value calculated or -- excuse me. I said the Black
12 model. Let's turn to the Monte Carlo model. The
13 value calculated by the Monte Carlo model was
14 approximately 20 to 24 percent lower than the value
15 calculated by the Black model. The constrained Black
16 model. Is that correct?

17 A. I do not have my remand testimony and
18 exhibits with me.

19 Q. Do you recall what percentage of the
20 value calculated by the Monte Carlo model was
21 associated with the risk of customer migration and
22 what percentage was associated with the risk of
23 customers returning to SSO service?

24 A. That calculation was not done.

Page 39

1 MR. ALEXANDER: Let's go off the record
2 for just a moment.

3 (Recess taken.)

4 MR. ALEXANDER: Let's go back on the
5 record.

6 Q. Dr. LaCasse, you understand you're still
7 under oath?

8 A. Yes.

9 Q. I'd like to talk about your knowledge of
10 the Black model generally. The Black model attempts
11 to calculate the market price of an option; is that
12 correct?

13 A. Yes.

14 Q. And specifically the Black model attempts
15 to calculate the market price of an option which
16 would eliminate the risk of owning the underlying
17 asset thereby creating a risk-free portfolio at the
18 risk-free interest rate; is that correct?

19 A. I don't know.

20 Q. Will publicly traded options always trade
21 at the Black model price?

22 A. I would assume not.

23 Q. And would that likely be two different
24 assumptions regarding volatility?

Page 40

1 A. Can you repeat that?

2 Q. Sure. Would that likely be due to
3 different assumptions regarding volatility?

4 A. No. The results from any model when
5 confronted with empirical data may show a difference.

6 Q. Have you ever heard the phrase "option
7 smirk"?

8 A. No.

9 Q. How about "volatility smile"?

10 A. Yes.

11 Q. What does the phrase "volatility smile"
12 mean do you?

13 A. I cannot define it, but I have heard it.

14 Q. And does the Black model attempt to
15 quantify the anticipated out-of-pocket cost of
16 selling an option?

17 A. Can you repeat the question.

18 Q. Does the Black model attempt to quantify
19 the anticipated out-of-pocket cost of selling an
20 option?

21 A. No.

22 Q. Does the Black model attempt to quantify
23 the subjective value placed on an option by the
24 purchaser?

10 (Pages 37 to 40)

Page 41

1 A. No.
 2 Q. Do you know the fundamental assumptions
 3 of the Black model?
 4 A. I know that there are a number of
 5 assumptions that underlie that model. I'm not sure I
 6 would be able to name each and every one of them.
 7 Q. Well, you discuss some of them in your
 8 testimony. Is the lack of transaction cost a
 9 fundamental assumption of the Black model?
 10 A. I do not discuss the assumptions of the
 11 Black model in my testimony.
 12 Q. So you're not aware that zero transaction
 13 cost is a fundamental assumption of the Black model?
 14 A. I'm not sure that I know that the
 15 assumption was characterized as being an essential
 16 assumption or however you phrased it exactly. Given
 17 that it is a model for an idealized market, it stands
 18 to reason that it assumes that there's no transaction
 19 cost.
 20 Q. And does the Black model assume that
 21 markets are perfect?
 22 A. What do you mean by "markets are
 23 perfect"?
 24 Q. That all market participants will act in

Page 42

1 their economic best interests.
 2 A. Yes.
 3 Q. Does the Black model assume that price
 4 volatility is constant?
 5 A. Yes.
 6 Q. Does the Black model assume that the
 7 strike price is constant?
 8 A. Yes.
 9 Q. Does the Black model assume that returns
 10 are lognormally distributed?
 11 A. Yes.
 12 Q. Does the Black model assume that it is
 13 valuing a European option?
 14 A. Yes.
 15 Q. What is a European option?
 16 A. One that is exercised at the end of the
 17 term.
 18 Q. What is an American option?
 19 A. An option that can be exercised any time
 20 during a certain period.
 21 Q. Have you examined the mathematical
 22 formula for the Black model used by AEP in this case?
 23 A. I believe you asked this question already
 24 in asking me whether I looked at Ms. Thomas' exhibits

Page 43

1 and workpapers, and I said "Yes."
 2 Q. Did you or anyone else at NERA prove the
 3 formula?
 4 A. Anyone else at NERA?
 5 Q. Prove the formula.
 6 A. Prove the formula? What does that mean?
 7 Q. I'll tell you what, I'll withdraw the
 8 question.
 9 MR. ALEXANDER: Let's go off the record
 10 for just one minute.
 11 (Off the record.)
 12 MR. ALEXANDER: Let's go back on.
 13 Q. Did you or others at NERA test
 14 alternative assumptions or inputs?
 15 A. I did not.
 16 Q. Do you know if anyone else at NERA did?
 17 A. I don't know.
 18 Q. Did you or others at NERA examine the
 19 constraints in the formula to ensure that they
 20 accurately reflected all Ohio switching restrictions?
 21 A. I don't believe so.
 22 Q. You relied on AEP to do that?
 23 A. To do what?
 24 Q. To ensure that the constraints in the

Page 44

1 formula accurately reflected Ohio switching
 2 restrictions.
 3 A. Well, I know that there was some
 4 simplifications in the switching restrictions that
 5 were included in the model, so, for example, all
 6 commercial customers were deemed to have to stay one
 7 year should they return to SSO while actually not all
 8 commercial customers are in that position; I believe
 9 that's explained in Ms. Thomas' testimony. So like
 10 any model there are simplifications with respect to
 11 reality.
 12 Q. Sure. I'm just trying to understand what
 13 you did and what Ms. Thomas did. So is it your
 14 testimony that Ms. Thomas input the constraints into
 15 the formula?
 16 A. My testimony is that Ms. Thomas discusses
 17 the switching restrictions that were incorporated
 18 into the constrained model.
 19 Q. And did you review those restrictions to
 20 ensure that they reflected all relevant restrictions
 21 in Ohio law?
 22 A. As I said, I'm aware that there are
 23 simplifications in the model with respect to the
 24 switching restrictions that are in Ohio law.

11 (Pages 41 to 44)

Page 45	Page 47
<p>1 Q. Do you know if the constraints included</p> <p>2 in the Black model by AEP include constraints</p> <p>3 relating to the percentage of income payment plan</p> <p>4 program?</p> <p>5 A. I know that they do not.</p> <p>6 Q. So you did independently review each of</p> <p>7 these constraints.</p> <p>8 A. I am aware of what's in Ms. Thomas'</p> <p>9 testimony on this topic.</p> <p>10 Q. So is your information from Ms. Thomas's</p> <p>11 testimony or is it from your view of the actual</p> <p>12 formula used by AEP?</p> <p>13 A. From Ms. Thomas' testimony.</p> <p>14 Q. So you have not reviewed the actual</p> <p>15 formula to determine whether it appropriately</p> <p>16 included the shopping constraints that exist in Ohio</p> <p>17 law.</p> <p>18 A. That's correct.</p> <p>19 Q. The Black model formula provided by AEP</p> <p>20 is a binomial model; is that correct?</p> <p>21 A. Yes.</p> <p>22 Q. Do you have any hands-on experience in</p> <p>23 developing binomial models?</p> <p>24 A. No.</p>	<p>1 A. I did not.</p> <p>2 Q. Did you check AEP's calculation of any of</p> <p>3 the inputs into the Black model?</p> <p>4 A. No.</p> <p>5 Q. Would you agree that customers</p> <p>6 contemplating switching face transaction costs in</p> <p>7 association with that switching?</p> <p>8 A. There are transaction costs that can vary</p> <p>9 depending on the customer, and the presence of those</p> <p>10 transaction costs would imply that some but not all</p> <p>11 of the customers may consider switching to a CRES</p> <p>12 provider for some given market price and this factor</p> <p>13 limits the degree to which customers take full</p> <p>14 advantage of the option and, therefore, limits the</p> <p>15 cost of providing that option. And it's one of</p> <p>16 the -- it's the factor that I identify as being one</p> <p>17 that would overstate the POLR charge.</p> <p>18 Q. And would you agree that not all</p> <p>19 customers will switch even though it may be in their</p> <p>20 financial interest to do so?</p> <p>21 MR. SATTERWHITE: Objection. Go ahead.</p> <p>22 Sorry.</p> <p>23 A. Well, if their financial interest to do</p> <p>24 so is taking into account the price of the CRES</p>
Page 46	Page 48
<p>1 Q. Does the Black model used by AEP create a</p> <p>2 series of one-month options or does it create one</p> <p>3 three-year option?</p> <p>4 A. It's a series of one-month options.</p> <p>5 Q. Do you have any opinion as to the</p> <p>6 propriety of each of the inputs into the model such</p> <p>7 as the risk-free interest rate or the volatility?</p> <p>8 A. I looked at some of the inputs and --</p> <p>9 into the constrained model for the purpose of seeing</p> <p>10 whether these factors would tend to understate or</p> <p>11 overstate the POLR charge.</p> <p>12 So, for example, the constrained option</p> <p>13 model uses a single annual volatility and customers</p> <p>14 with a limited set of restrictions can switch</p> <p>15 monthly, and as monthly volatilities are greater than</p> <p>16 annual volatility the cost of the monthly option is</p> <p>17 not fully captured so that this factor would tend to</p> <p>18 understate the POLR charge.</p> <p>19 Q. Do you know what the volatility used by</p> <p>20 AEP in its model was?</p> <p>21 A. I don't remember the number off the top</p> <p>22 of my head.</p> <p>23 Q. Did you check AEP's calculation of the</p> <p>24 volatility?</p>	<p>1 provider and their transaction cost, then I would</p> <p>2 expect customers to switch once they have taken that</p> <p>3 into account.</p> <p>4 Q. So if there's a price difference of one</p> <p>5 cent per megawatt-hour, would you expect, as a</p> <p>6 practical matter, a hundred percent of customers to</p> <p>7 shop?</p> <p>8 A. As I said, I would expect that there are</p> <p>9 transaction costs that vary depending on the customer</p> <p>10 and it would imply that some but not all customers</p> <p>11 would consider switching at a given point where</p> <p>12 prices, market prices, have followed -- have fallen</p> <p>13 compared to the ESP price.</p> <p>14 Q. We may be agreeing here. When you say</p> <p>15 "transaction costs," are you including things like</p> <p>16 customer apathy and customer loyalty as part of a</p> <p>17 larger definition of "transaction costs"?</p> <p>18 A. No. I'm considering the cost that a</p> <p>19 customer would have, the financial cost that a</p> <p>20 customer would have of actually switching.</p> <p>21 Q. Do you believe that there is some</p> <p>22 customer apathy where customers may not switch when</p> <p>23 it is in their financial interest to do so?</p> <p>24 A. It's hard to distinguish a customer</p>

Page 49

1 having a large transaction cost in terms of doing the
2 research and understanding the option and customer
3 apathy, so I'm really pointing to these transaction
4 costs which may vary depending on a customer's
5 ability to understand their option.

6 Q. Would you agree that the amount of
7 customers who actually switch -- let me strike that.

8 Would you agree that the percentage of
9 customers that actually switch will vary depending on
10 the distance between the market price and the SSO
11 price?

12 A. Yes.

13 Q. Are you aware of any evidence which would
14 suggest that the volatility of PJM prices is
15 constant?

16 A. I haven't looked at that.

17 Q. The SSO price is not fixed throughout the
18 ESP price term; isn't that correct?

19 A. That's correct.

20 Q. You earlier testified about an American
21 versus European option. What type of option is more
22 similar to the option received by Ohio customers?

23 A. I would think that it could be modeled
24 with either one.

Page 50

1 Q. It would be modeled using the Black
2 model?

3 A. The Black model is for European options.
4 I don't think that's the question that you asked.

5 Q. No. My question was which type of option
6 is more similar to that given to Ohio customers for
7 the ESP term?

8 A. Right. And you could think about
9 customers having a series of options that would be
10 European options and that would expire on a monthly
11 basis, that's one way of thinking about it and it's
12 the way in which the company has modeled the option.

13 Q. So you believe that the option given to
14 Ohio consumers is more similar to a European option
15 than an American option.

16 A. What I'm saying is that it depends in the
17 way you define what the option is and that in
18 modeling choices of customers you could think about
19 it in either way.

20 Q. Would you agree that AEP has attempted to
21 mimic the outcome of an American option by running a
22 series of European options?

23 A. I don't know. I can't answer that.

24 Q. If AEP ran the Black-Scholes formula for

Page 51

1 one three-year option, that would be a European
2 option; isn't that correct?

3 A. Yes.

4 Q. Because there's no way to run a
5 three-year American option through the Black-Scholes
6 formula.

7 A. I don't believe that the constrained
8 model is a single option.

9 Q. There's no way to run a three-year
10 American option through the Black-Scholes formula;
11 isn't that correct?

12 A. My understanding is that the model
13 addresses European options.

14 Q. Is there any way to run one three-year
15 American option through the Black-Scholes formula?

16 A. Black-Scholes formula is not for that
17 purpose.

18 Q. And so in attempt to replicate the
19 results of an American option AEP has created a
20 model, a series of one-month European options, in an
21 attempt to replicate and get to the same result we
22 would have gotten through an American option; is that
23 correct?

24 A. I believe you're assuming that we've all

Page 52

1 agreed that the option given to customers is an
2 American option, and I believe that my response on
3 that was that it depended the way you looked at it.

4 Q. Have you personally run the Black model
5 proposed by AEP in this case to verify that the
6 outcome testified on by Witness Thomas is correct?

7 A. No.

8 Q. Look at page 17, lines 20 to 22. Would
9 you agree that not all customers will always act on
10 an economically rational basis?

11 MR. SATTERWHITE: Objection. Go ahead.

12 A. Are you saying this is what you
13 understand by lines 20 to 22?

14 Q. No. I just wanted your answer to the
15 question.

16 THE WITNESS: Was there a reference to
17 lines 20 to 22 at page 17?

18 (Record read.)

19 A. What I'm referring to here is the fact
20 that I point out that there may be transaction costs
21 that vary depending on the customer and that the
22 presence of those transaction costs imply at any
23 given time when prices fall compared to the ESP
24 price, some but not all the customers may consider

Page 53

1 switching to a CRES provider and that limits the full
2 value of the option and, therefore, the cost of
3 providing the option and that factor tends to
4 overstate the POLR charge, and this is what I'm
5 referring to as the source of the overstatement in
6 line 20.

7 Q. What are you referring to with everything
8 after the comma after the word "overstatement"
9 specifically, and I quote, "... namely the
10 possibility that not all customers may avail
11 themselves at once of the option the moment that it
12 is economically advantageous to do so."

13 A. When I say "the moment it is economically
14 advantageous to do so," I mean the moment that the
15 market price offered by a CRES provider falls below
16 the ESP price and that customers that may have
17 varying transaction costs may or may not at that
18 point consider switching to a CRES provider.

19 Q. So you have not considered customer
20 apathy in your analysis.

21 A. As I stated before, it's hard to
22 distinguish between apathy and customers having high
23 transaction costs of understanding their options.

24 Q. Would one of those transaction costs be

Page 54

1 the time it would take the customer to identify what
2 potential shopping opportunities are out there?

3 A. That's right. That's correct.

4 Q. So when you say "transaction costs," you
5 mean both financial and nonfinancial costs.

6 A. I mean financial costs in terms of also
7 opportunity cost of time.

8 Q. And you have not quantified the impact to
9 customers who do not avail themselves of the option
10 the moment it is not economically advantageous to do
11 so.

12 A. No. I have, as I said, reviewed
13 switching data and noted that there is opt-out
14 aggregation in Ohio which means that a large group of
15 customers could leave SSO all at once, so that would
16 tend to mitigate that as a source of overstatement.

17 Q. Does the Black model include any offset
18 for the revenue which AEP would receive from sales of
19 the excess energy created by customer shopping?

20 A. Can you repeat the question, please.

21 Q. Sure. Does the Black model include any
22 offset for the revenue which AEP would receive from
23 the sales of the excess energy created by customer
24 shopping?

Page 55

1 A. What do you mean by "offset"?

2 Q. Sure. If a customer shops, AEP no longer
3 has to provide energy to that customer, correct?

4 A. Correct.

5 Q. So AEP can then sell the energy that
6 customer would have used, let's just say for example
7 in the spot market, correct?

8 A. Correct.

9 Q. And AEP will receive revenue from that
10 sale, correct?

11 A. Correct.

12 Q. But the Black market doesn't attempt to
13 take any of this into account. The Black market only
14 attempts to determine the market value of the option
15 received by customers; is that correct?

16 A. The Black market?

17 Q. If I said that, I apologize. The Black
18 model does not attempt to take any sort of revenue
19 offsets into account. Instead, the Black model only
20 attempts to identify the market value of the option
21 given to customers; is that correct?

22 A. No. The value of the option is driven by
23 the difference between the ESP price and the market
24 price. And the model in some sense assumes that

Page 56

1 there are going to be replacement sale at the then
2 retail price.

3 Q. So the market price will, by definition,
4 equal the difference between the ESP and market
5 price.

6 A. No.

7 Q. Will the value of the option equal the
8 difference between the ESP price and the market
9 price?

10 A. It's driven by the expected value of the
11 difference between the ESP price and the market price
12 at which customers would choose to shop.

13 Q. Will the value of the option be equal to
14 the expected value of the difference between the ESP
15 price and the market price?

16 A. Essentially it's that expected --
17 expected value of that difference between the ESP
18 price and the market price at which customers choose
19 to shop.

20 Q. Would the discussion we just had with
21 regard to energy sales as a result of shopping apply
22 equally to capacity receipts from CRES providers as a
23 result of shopping?

24 A. Can you be more specific?

14 (Pages 53 to 56)

Page 57

1 Q. Sure. Does the Black model take into
2 account that AEP will receive capacity payments from
3 CRES providers if customers shop?

4 A. The model assumes that the replacement
5 sale that -- sales that AEP-Ohio would make are at a
6 retail price and, thus, the model assumes that
7 AEP-Ohio receives for its capacity the payment that's
8 embedded in the competitive benchmark price.

9 Q. That would be \$347.97?

10 A. I don't know the figure off the top of my
11 head.

12 Q. Would you accept, subject to check, that
13 AEP has included the value of \$347.97 in its
14 competitive benchmark price?

15 A. Subject to check.

16 Q. And the Black model assumes that AEP will
17 receive that as a result of customers shopping?

18 A. The model assumes that the replacement
19 sale that AEP-Ohio would make are at a retail price
20 and, therefore, it assumes that AEP-Ohio receives for
21 its capacity the payment that's embedded in the
22 competitive benchmark price.

23 Q. Are you aware that AEP has made an FRR
24 election for its territory?

Page 58

1 A. Yes.

2 Q. Do you know if the Black model includes
3 any offset for increased fuel adjustment clause
4 revenues as a result of customers returning to SSO
5 service?

6 A. Again, can you explain what you mean by
7 "offset" in this case?

8 Q. Sure. If customers return to AEP, AEP is
9 entitled to seek an increase in fuel adjustment
10 clause payments to provide capacity and energy to
11 those customers. Is that consistent with your
12 understanding?

13 A. Yes.

14 Q. And that will be revenue to AEP. Those
15 fuel adjustment clause payments will be revenue to
16 AEP; is that correct?

17 A. Yes.

18 Q. And so when the Black model calculates
19 the revenue delta between shopping and no shopping,
20 does it take into account the fuel adjustment clause
21 payments that AEP will receive?

22 A. I believe that the circumstances where
23 the fuel adjustment clause may play a role are
24 limited to the following: First, prices fall and SSO

Page 59

1 customers switch to service from a CRES provider;
2 AEP-Ohio enters into forward sales to hedge its
3 exposure to the spot market; and afterwards prices
4 rise sufficiently so that customers return to SSO.
5 AEP-Ohio, having entered into replacement sales when
6 prices fell, would now have to purchase energy to
7 serve those customers, and these purchases would be
8 made at a price that would render the ESP price
9 unprofitable.

10 The model that AEP-Ohio uses to estimate
11 the POLR cost does not capture the full dynamics of
12 prices and, in particular, scenarios where prices
13 drop, customers switch, and AEP enters into alternate
14 term sales to hedge its exposure to the spot market
15 and then prices rise and customers return to SSO,
16 hence the fact that the fuel adjustment clause could
17 mitigate the impact of the scenario does not mean
18 that AEP-Ohio has overstated these POLR costs.

19 Q. Is the fuel adjustment clause -- are the
20 fuel adjustment clause payments that AEP will receive
21 a variable in the Black model?

22 A. They're not a variable because the model
23 doesn't consider the potential cost to AEP-Ohio of
24 scenarios where the fuel adjustment clause would come

Page 60

1 into effect.

2 Q. So the Black model does consider the
3 scenario where a customer will shop and return,
4 correct?

5 A. It considers the possibility that a
6 customer will shop and return, yes.

7 Q. And that accounts for approximately
8 12 percent of AEP's POLR risk, correct?

9 A. I don't know that.

10 Q. Witness Thomas testified to that. Are
11 you aware of that?

12 A. I don't know the precise number.

13 Q. Okay. If a witness -- excuse me. If a
14 customer shops and returns and AEP purchases power to
15 provide to that customer, is it your understanding
16 that AEP's entitled to a fuel adjustment clause
17 payment?

18 A. No, not automatically. I believe that
19 the circumstances where the clause may play a role is
20 limited to prices falling, SSO customers switching to
21 service from a CRES provider, and if AEP-Ohio enters
22 into forward sale to hedge its exposure into the spot
23 market and prices rise sufficiently so that customers
24 return to SSO, then given that AEP-Ohio has entered

15 (Pages 57 to 60)

Page 61

1 into replacement sales when prices fell, now AEP-Ohio
2 would have to purchase energy to serve those
3 customers and these purchases would be made at a
4 price that renders the ESP price unprofitable.

5 Q. So your distinction is that -- I guess
6 your clarification is that the fuel adjustment clause
7 only becomes relevant if AEP has sold its energy and
8 capacity when the customer first migrated.

9 A. Correct.

10 Q. And AEP's decision as to whether to sell
11 at that point or not is not considered by the Black
12 model, correct?

13 A. Correct.

14 Q. Did you make any effort to identify the
15 actual out-of-pocket expenditures which could be
16 incurred by AEP during the term of this ESP to
17 satisfy AEP's POLR obligation?

18 A. No.

19 Q. Can you tell me the categories of actual
20 out-of-pocket expenditures which AEP faces to provide
21 the POLR option to customers?

22 A. No. As I said, by out-of-pocket
23 expenditures I understand ex-post costs that would be
24 determined after the fact and the quantification of

Page 62

1 the POLR cost through the company's model is a
2 measure of expected cost on an a priori basis.

3 Q. Is it ever possible to evaluate potential
4 out-of-pocket costs on an a priori basis?

5 A. Didn't we get this question before?

6 Q. Please just answer.

7 A. I don't know how to answer the question.

8 Q. Let's do a hypothetical. Fire insurance.
9 The insurance company may have to pay out if
10 someone's house burns down, correct?

11 A. Correct.

12 Q. And that would be an out-of-pocket cost
13 to the insurance company, correct?

14 A. After the fact, once the house has burned
15 down.

16 Q. Let's not get to before or after the fact
17 yet. That would be an out-of-pocket cost to the
18 insurance company, correct?

19 A. Yes.

20 Q. And when the insurance company prices
21 fire insurance, it doesn't know if my house is going
22 to burn down or not, correct?

23 A. Correct.

24 Q. And so the insurance company has to

Page 63

1 evaluate the probability that my house will burn
2 down, correct?

3 A. I would assume so. As I said, I don't
4 know what insurance companies do and how they
5 determine premiums.

6 Q. I understand. I'll just take your
7 understanding.

8 So the insurance company will evaluate
9 the probability that my house will burn down.

10 A. I don't know what the insurance company
11 is going to do.

12 Q. So on an ex ante basis, before my house
13 burns down, the insurance company has to evaluate the
14 potential out-of-pocket cost of selling me fire
15 insurance, correct?

16 A. Can you repeat that.

17 Q. Sure. On an ex ante basis, before my
18 house burns down or doesn't burn down, the insurance
19 company has to evaluate the potential out-of-pocket
20 cost of selling me fire insurance.

21 A. It has to evaluate its expected cost on
22 an ex ante basis.

23 Q. And those costs would be out-of-pocket
24 costs, paying my claim value for my house burning

Page 64

1 down.

2 A. As I said, I don't understand the
3 out-of-pocket. If it's done on an expected basis,
4 it's going to look at its expected costs before
5 anything happens.

6 Q. If you sold a million fire insurance
7 policies, you'd assume that some of those houses are
8 going to burn down, correct?

9 A. The probability of one house burning
10 down, if you have a million of them, is greater than
11 one out of one if that's what you're asking.

12 Q. So as an insurance company you'd be
13 evaluating the potential out-of-pocket payments to
14 claimants ahead of time based on the probability of
15 loss.

16 MR. SATTERWHITE: Objection.

17 A. So I don't know what they do exactly and
18 I would assume that they, that an insurance company
19 would look at its expected payments looking at the
20 pool of customers that it has, different values for
21 different houses, different clauses in the insurance
22 policies that it has, and would come to a -- can come
23 to a determination ex ante of its potential costs
24 from there.

Page 65

Page 67

1 Q. Let's look at page 6, line 10 of your
2 testimony. At this citation you discuss hedging as
3 a, quote, significant aspect of optimally managing
4 generation output. Is that correct?

5 A. Hedging the financial exposure to the
6 spot market through forward sales, yes.

7 Q. How does hedging through forward sales
8 work?

9 A. So as an example, a company could have a
10 hedge of future prices coming down by selling forward
11 at the current price, so meaning that it would
12 continue to make those sales into the future at the
13 current price and that would be a hedge against
14 prices coming down in the spot market and it being
15 exposed to a lower price in the spot market.

16 Q. Would you sell the type of forward
17 contract that you just testified about if you had an
18 excess of energy as well?

19 A. I don't understand the distinction you're
20 trying to make.

21 Q. I don't know that it is a distinction.
22 Correct me if I'm wrong, there are two reasons why
23 someone would sell a forward contract, the first is a
24 hedging transaction to account for the potential of a

1 financial exposure to the spot market is risky for an
2 EDU with a POLR obligation because it is possible
3 that the prices may increase in the future and that
4 customer may return because those forward sales
5 outside the ESP in a way assumed that the prices
6 have -- market prices have fallen below the SSO price
7 so that customers have shopped.

8 Q. I understand your distinction.

9 Please look at page 8, lines 17 to 23. I
10 think you and I are on the same page here. Hedging
11 is a potentially useful strategy to mitigate against
12 the chance that a customer will shop.

13 A. There are hedges that could be used if --
14 and here the line that you are referring to talks
15 about bidders in SSO auction that may choose to
16 partially hedge the risk of increased shopping by
17 acquiring certain instruments that would increase in
18 value if market prices declined.

19 Q. So is that a "yes"?

20 A. What was the question?

21 MR. ALEXANDER: Could you read it back,
22 please.

23 (Record read.)

24 A. There are potential ways to hedge the

Page 66

Page 68

1 price decrease in the future, the second is you
2 simply have more energy than you can sell and so this
3 is a way to sell that energy; would that be correct?

4 A. Well, I think the hedge that I'm
5 referring to here is the first case you mentioned, so
6 as a hedge to prices decreasing further in the spot
7 market, then a forward sale now is one. If there is
8 an excess of energy, it could be that the company
9 decides to sell in the spot market or sell through a
10 forward sale.

11 Q. Forward contracts, are those publicly
12 traded?

13 A. Some are.

14 Q. Those are traded in New York?

15 A. I don't know specifics.

16 Q. And hedging, you would agree, would be a
17 potentially useful strategy to mitigate against
18 shopping risk?

19 A. Can you repeat the question.

20 Q. Sure. Would you agree that hedging is a
21 potentially useful strategy to mitigate against
22 shopping risk?

23 A. As I mentioned, making such forward sales
24 outside the ESP that could be used to hedge the

1 risk of either customers shopping or returning.

2 Q. Do you think those ways are potentially
3 useful?

4 MR. SATTERWHITE: Objection. Go ahead.

5 A. Useful? What does that mean?

6 Q. Useful to mitigate against the revenue
7 impact of customer shopping.

8 A. That's what a hedge would do.

9 Q. Do you think an EDU with a POLR
10 obligation would quantify the cost of hedging?

11 A. Can you repeat the question.

12 Q. Do you believe an EDU with a POLR
13 obligation would be expected to quantify the cost of
14 hedging?

15 A. Not necessarily.

16 Q. Please look at page 8, line 23 -- 22 and
17 23, excuse me. You say, and I quote, "The cost of
18 such instruments would be part of the quantification
19 of such risks." What are you referring to by that
20 sentence?

21 A. I'm referring to the fact that bidders in
22 SSO auction would use different strategies to manage
23 their POLR risks including shopping related risk and
24 that a bidder in such an auction would be expected to

Page 69

1 quantify the cost of these POLR risks on the basis of
2 the strategies that it employs to manage such risks.
3 So --

4 Q. And would that bidder have a POLR
5 obligation?

6 A. To finish my previous answer, so if a
7 bidder were hedging, intending to hedge the risk of
8 customers by barring some instrument that would
9 increase in value if prices increase in an
10 environment with significant shopping, then the cost
11 of those instruments that correspond to the bidder's
12 strategy would be part of the quantification of such
13 risks.

14 Q. Would the bidder in the auction that you
15 just testified to have a POLR obligation?

16 A. They're facing the same kind of POLR risk
17 by being suppliers to SSO customers aside from the
18 POLR obligation that is in the case where CRES
19 customers default. CRES providers, I'm sorry,
20 default.

21 Q. And you expect the cost of hedges would
22 be part of the quantification of their POLR risk.

23 A. If a bidder were intending to use those
24 instruments to hedge the risk, then the bidder would,

Page 70

1 I would expect, quantify the cost of such instrument
2 in quantifying the risk.

3 Q. And wouldn't a reasonable bidder at least
4 have to consider the cost of a hedge to compare it
5 against other potential ways to mitigate against this
6 risk?

7 A. As I say, I think the quantification
8 would depend on the particular strategies that the
9 bidder would employ to manage these risks and they
10 may be different for particular bidders, and if a
11 particular bidder does not hedge a particular risk,
12 it may use other models and analyses to price the
13 residual risk.

14 Q. Have you personally done any analysis to
15 determine how much it would cost AEP to hedge the
16 risk of customer shopping?

17 A. No.

18 Q. Are you aware of anyone else at either
19 AEP or NERA who has done any analysis to determine
20 how much it would cost AEP to hedge the risk of
21 customer shopping?

22 A. As I said, there would be various
23 strategies, various hedges. I'm not sure the
24 question is answerable.

Page 71

1 Q. Are you aware of anyone else at either
2 AEP or NERA who has done any analysis to determine
3 how much it would cost AEP to hedge the risk of
4 customer shopping?

5 A. No.

6 Q. Do you know -- strike that.

7 Have you asked anybody at AEP if they've
8 done this analysis?

9 A. No.

10 Q. Have you attempted to compare the cost of
11 hedging to the value produced by the Black model used
12 by AEP in this case?

13 A. Can you repeat that.

14 Q. Have you attempted to compare the cost of
15 hedging to the value produced by the Black model used
16 by AEP in this case?

17 A. I don't think the cost of hedging is
18 something that's definable outside of a particular
19 strategy for hedging. You've already asked whether
20 we quantified that, and the answer was no. And as I
21 mentioned previously, I do consider that for an EDU
22 that retains the obligation to serve customers when
23 they return to the SSO, if a hedging strategy, for
24 example, would be making a forward sale outside the

Page 72

1 ESP, then that is risky precisely because customers
2 could return.

3 Q. Look at page 21, line 22. You say "There
4 may well be controversy over what fuel and purchased
5 power costs could be attributed to SSO sales so that
6 it is not certain that the fuel adjustment clause
7 would fully mitigate the impact." What is the
8 controversy that you're referring to in that
9 sentence?

10 A. This comes from the fact that the fuel
11 clause would play a role in the circumstances where
12 prices fall and SSO customers switch to service from
13 a CRES provider, and if AEP-Ohio were to enter into
14 forward sales to hedge its exposure to the spot
15 market at that point but subsequently prices rise
16 sufficiently so that customers return to SSO, then
17 AEP-Ohio having entered into replacement sales when
18 price fell would now have to purchase energy to serve
19 these customers. And these purchases would then be
20 made at a price that renders the ESP price
21 unprofitable.

22 And the controversy that I'm pointing to
23 in line 22 is over what fuel and purchased costs
24 should be attributed to SSO sales versus the sales to

Page 73

1 hedge the exposure to the spot market in which
2 AEP-Ohio entered once prices fell and SSO customers
3 switched to service from a CRES provider.

4 Q. Do you know if AEP can track customers'
5 usage who shop and then return?

6 A. I would assume so.

7 Q. Look at page 12 just generally to
8 familiarize -- I'm going to ask you some questions
9 about the two reports that you cite in your
10 testimony. And in the interest of time I'm going to
11 address both of them together, but if at any time
12 that becomes problematic because your answers would
13 change from one to the other, then just let me know
14 and we'll split it up. But I want to hit this at a
15 pretty high level, and I don't think there's any
16 reason we need to go through the same questions for
17 both. Is that okay with you?

18 A. Yes. I'll let you know if that becomes a
19 problem.

20 Q. Great. Did you personally conduct either
21 of these studies?

22 A. No.

23 Q. Are either of these studies published
24 publicly?

Page 74

1 A. The study from the staff of the Illinois
2 Commerce Commission was made available publicly on
3 the, certainly on the auction website at the time and
4 perhaps, although I'm not certain, on the website of
5 the Illinois Commerce Commission. So it was
6 certainly publicly available. Similarly, the
7 NorthBridge study was publicly available on the
8 website of the Pennsylvania Public Utility
9 Commission.

10 Q. And those would have each been part of
11 their respective dockets.

12 A. For the NorthBridge study it would have
13 been part of the docket for the default service case
14 of PECO. For the Illinois Commerce Commission ICC
15 Staff Report it would have been on a publicly
16 available website not as part of a docketed matter.

17 Q. Are you aware of any other place those
18 reports were published?

19 A. No.

20 Q. Did you review in detail the specific
21 methodology used by either of these studies to build
22 up the costs for each bidder?

23 A. Do you want to expand on what you mean by
24 "in detail"?

Page 75

1 Q. Sure. It's my understanding that each
2 study reviewed the visible costs of each bidder. Is
3 that your understanding as well?

4 A. It reviewed the visible costs of
5 supplying the POLR shape, yes.

6 Q. I'm sorry. When you say "POLR shape,"
7 what do you mean?

8 A. Of supplying the load, the cost of
9 meeting the POLR shape that can be estimated using
10 market data on the prices of each cost components and
11 the hourly loads of the customers.

12 Q. And so each study then compared those
13 visible costs to the results of the auctions,
14 correct?

15 A. That's correct.

16 Q. And so my question was have you reviewed
17 the method by which either study calculated the
18 visible costs for each bidder?

19 A. The studies did not calculate the visible
20 costs for each bidder. Each bidder individually
21 calculated the visible costs using market data on the
22 price of each cost component.

23 Q. Is the premium identified in each of
24 these studies solely shopping related risk or does

Page 76

1 that premium include other types of costs and risks
2 as well?

3 A. It includes other types of costs. It
4 captures all risks that the supplier assumes together
5 and does not separately estimate shopping related
6 risk.

7 Q. And there are no less than eight separate
8 types of costs and risks that are included in that
9 premium?

10 A. Can you tell me which study you're
11 referring to?

12 Q. Sure. Let's just take them one at a
13 time. Would the premium include unexpected
14 congestion charges or cost? Excuse me. I said
15 "charges." Would the premium identified by each of
16 these studies include costs related to unexpected
17 congestion?

18 A. I believe that's one of the costs that is
19 mentioned in the NorthBridge study. I think each
20 study makes a list of the risks that the premium is
21 intended to capture and the first such risk that's
22 mentioned by the NorthBridge study is the shopping
23 related risk and, similarly, the study by the
24 Illinois Commission staff also mentioned shopping

Page 77

1 related risk as an important risk.

2 Q. So we've identified shopping related
3 risk, unexpected congestion. Would usage and price
4 uncertainty also be included in that premium?

5 A. Yes.

6 Q. Would adverse selection be included in
7 that premium?

8 A. What do you mean by that?

9 Q. In terms of Mr. Fisher's analysis in the
10 NorthBridge study, the effect of adverse selection on
11 the premium included by winning bidders -- by
12 bidders, excuse me.

13 A. Do you have a page reference for that?

14 Q. I do not. If you don't know, that's
15 okay.

16 A. I don't know about that one.

17 Q. Would the potential for changes in laws
18 and regulation be included in that premium?

19 A. Yes.

20 Q. Would administrative and legal costs be
21 included in that premium?

22 A. I would assume so.

23 Q. Would satisfaction of alternative energy
24 portfolio standards be included in that premium?

Page 78

1 A. Not necessarily. It depends whether it
2 was part of the cost of meeting the POLR load shape
3 that was estimated using market data. I'm not sure
4 whether it was or not in those studies.

5 Q. And would the potential costs associated
6 with holding bids open be included in that premium?

7 A. Yes.

8 Q. Do you have any way of knowing what
9 amount of the premiums identified were relating to
10 shopping related risk?

11 A. I do not.

12 Q. Do you have any personal knowledge
13 regarding how each bidder examined in the studies
14 evaluated the risk premium associated with potential
15 shopping?

16 A. The study did not examine specific
17 bidders.

18 Q. Let me rephrase that. You're right. Do
19 you have any personal knowledge regarding how the
20 shopping premium was included in the overall premium
21 examined by these studies?

22 A. I don't understand the question. I'm
23 sorry.

24 Q. I'm going to withdraw it.

Page 79

1 Moving on here from those two studies,
2 during the remand trial in this case you were
3 questioned extensively about a document entitled
4 "Evaluation of Longer Term Procurement Plans Prepared
5 for Allegheny Power and Baltimore Gas & Electric"
6 which was prepared by NERA. Do you recall that
7 cross-examination?

8 A. I must not because I don't remember it
9 being extensive.

10 Q. I just have one question about that
11 study. That study assumed that 20 percent of
12 customers will not switch regardless of the level of
13 available savings; is that correct?

14 A. I'm not sure.

15 Q. You weren't involved with that study,
16 correct?

17 A. I was not.

18 Q. And AEP's Black model assumes that a
19 hundred percent of customers will switch if it's in
20 their economic interest to do so.

21 A. That's my understanding.

22 Q. You have not reviewed the testimony of
23 Staff Witness Benedict; is that correct?

24 A. I don't believe I have, no.

Page 80

1 Q. Do you believe that POLR risk includes
2 both the risk of customers migrating and the risk
3 that customers will return to SSO service?

4 A. Yes.

5 Q. Does AEP's POLR charge have any
6 relationship to the capacity and energy required to
7 serve the returning customer?

8 A. Can you repeat that.

9 Q. Does AEP's --

10 MR. ALEXANDER: Actually, why don't you
11 repeat that. I don't want to misstate it.

12 (Record read.)

13 A. The POLR charge that is being proposed is
14 a quantification of the POLR cost to the company, and
15 the EDU must stand ready to serve those returning
16 customers and that is part of the POLR risk.

17 MR. ALEXANDER: Could you repeat the
18 question, please.

19 (Record read.)

20 Q. So is it your testimony that the POLR
21 charge compensates AEP for the capacity and energy
22 required to serve the returning customer?

23 A. No. I'm trying to make sense of the
24 question. I'm just saying that the POLR charge is a

Page 81

1 quantification of the risks and the costs to the
2 company of the POLR obligations and those do include
3 serving customers that return. So once prices have
4 decreased and customers have shopped, once prices
5 rise again it is the obligation of the EDU to serve
6 these customers at the SSO price which is essentially
7 fixed.

8 Q. Okay. Dr. LaCasse, I think we're making
9 this harder than it has to be. When the customer
10 returns to SSO service, AEP-Ohio would be compensated
11 for the energy and capacity required to serve that
12 customer as a result of its SS -- excuse me, from its
13 SSO rate; is that your understanding?

14 A. When the customer returns, the EDU,
15 AEP-Ohio, must provide service at the SSO price which
16 is now below the market price if customers are
17 returning.

18 Q. If customers were required to return at a
19 market based rate, would that eliminate AEP's risk of
20 customers returning?

21 MR. ALEXANDER: Excuse me. Did I say
22 "allowed" or "required"?

23 THE REPORTER: Required.

24 MR. ALEXANDER: Could you please repeat

Page 82

1 the question.

2 MR. SATTERWHITE: Thanks.
3 (Record read.)

4 A. I haven't examined that particular
5 question. It would definitely seem that it would
6 mitigate the risk.

7 Q. If AEP receives the POLR charges it has
8 requested in this case, then it would capture the
9 difference in revenue between its original SSO and
10 the expected results of shopping, correct?

11 THE WITNESS: Can you repeat that.
12 (Record read.)

13 A. No.

14 Q. Why not?

15 A. Well, the expected results of shopping,
16 as you mention, have two components, one, that
17 customers may leave, and others that those customers
18 may return. In the situation where the customers are
19 returning and AEP-Ohio would have to serve those
20 customers there may be various costs to that that are
21 not captured by, if I understand correctly, your
22 expected result of shopping.

23 Q. Let's just take the first aspect of the
24 POLR charge which I understand to be 80 percent of

Page 83

1 the -- I'm sorry. You didn't recall Ms. Thomas's
2 testimony about that, correct?

3 A. I didn't recall the exact figure, no.

4 Q. Let's just take the migration risk.
5 AEP's calculated value captures the difference
6 between the value it would have received at the SSO
7 rate and the expected market price. Now, my question
8 is this, if that is, in fact, the value that's paid
9 to AEP in this case, what is the benefit to customers
10 of having the ability to shop?

11 A. Well, they get the lower price and if
12 prices rise again, they have the insurance of an SSO
13 price that's essentially fixed.

14 Q. But don't they have to pay AEP the POLR
15 charge that captures the difference between the lower
16 price and the SSO price? Aren't they basically going
17 to be paying the exact same amount regardless of
18 whether they shop or not?

19 A. No. The calculation of the POLR charge
20 is on an expected basis that can -- that can consider
21 various scenarios for the future price.

22 Q. So if -- I'm sorry. Were you finished?

23 A. And it doesn't mean that after the fact
24 that the price that would be received by the customer

Page 84

1 and the benefit they would have to shopping is
2 negated by the POLR charge.

3 Q. So tell me if this is correct, if the
4 actual market price drops further than expected, then
5 the customer will receive a net benefit from being
6 able to shop. And if the actual market price falls
7 less than the expected value, or doesn't fall at all
8 and, in fact, never goes below the SSO price, then
9 the customer will be a net loser in this transaction.

10 MR. SATTERWHITE: Objection. Go ahead.

11 A. Again, the POLR charge and the option
12 valuation calculates the cost on an expected basis.
13 What happens in actual fact may differ from the
14 expected cost. And you're looking at just the
15 benefit from shopping, and the customer may have that
16 benefit to a greater and lesser degree. And, in
17 addition, the customer is always protected from the
18 opposite scenario where the price would rise above
19 the SSO price in which case the customer can always
20 return to AEP-Ohio.

21 Q. I'm not sure you answered my question.
22 If the market price falls below the expected market
23 price, then the customer will be a winner as a result
24 of shopping, correct?

Page 85

1 MR. SATTERWHITE: Same objection. Go
2 ahead.

3 A. I think the customer starts as being in a
4 position when the SSO starts where if the Commission
5 has approved the SSO rate, it's below market. So the
6 customer has a benefit for -- of the ESP being
7 approved.

8 And then the POLR charge looks at the
9 expected value of the difference between the ESP
10 price and the market price and captures the fact that
11 the customer benefits if the price eventually falls
12 below the ESP price understanding that it's -- the
13 ESP price started below the market price and
14 understanding as well that the customers -- the
15 customer would benefit should, after having fallen
16 below the ESP price and the customer shopping, prices
17 were to rise again, that the customer, if it pays the
18 POLR charge, has the option to return to the SSO
19 price.

20 Q. Dr. LaCasse, I don't think you're
21 answering my question. I'm trying to determine the
22 value from shopping that is received by customers in
23 two different scenarios. One where the actual market
24 price falls below the expected market price produced

Page 86

1 by the Black-Scholes model, and two, where the actual
2 market price does not fall that far but is still
3 below the SSO value.

4 So if AEP does receive a POLR charge,
5 it's determined on an ex ante basis, is that what AEP
6 is requesting in this case, an ex ante basis POLR
7 charge?

8 A. Yes.

9 Q. And that POLR charge attempts to quantify
10 the market value of the option received by customers,
11 correct?

12 A. Correct. And the cost to AEP-Ohio of
13 providing that optionality for customers.

14 Q. And by "cost" you mean the revenue
15 difference between what AEP would have received under
16 its SSO and what is the actual result as a result of
17 shopping, expected shopping.

18 A. Not the actual result. It's the
19 expected -- value's driven by the expected value of
20 the difference between the ESP price and the market
21 price.

22 Q. Would it be fair for me to say that by
23 setting the POLR rate equal to the value of the
24 optionality to customers AEP is essentially

Page 87

1 extracting from customers the entire economic value
2 afforded to them by the right to shop for a
3 generation supplier?

4 MR. SATTERWHITE: Objection. Go ahead.

5 A. No, I don't think that's correct.

6 Q. Why not?

7 A. Because it doesn't take into account that
8 the part of the benefit to shopping that is provided
9 under an SSO is that it doesn't have the risk of the
10 customer shopping and having to pay a higher market
11 price than the SSO.

12 Q. Do you believe under Ohio law the
13 customer's right to shop is created through the ESP
14 or is it as a result of statute?

15 A. Can you say that again?

16 Q. Do you believe that under Ohio law a
17 customer's right to shop is created through AEP's ESP
18 or as a result of statute?

19 MR. SATTERWHITE: Objection. Go ahead.

20 A. I'm not an attorney. I don't think it is
21 as a part of the ESP if I understand the Ohio code on
22 that.

23 Q. So the right to shop is not as a result
24 of the SSO, is it?

Page 88

1 A. No.

2 Q. Any other reasons why you don't feel that
3 setting the POLR rate equal to the value of the
4 optionality to customers extracts all the value from
5 AEP's customers?

6 MR. SATTERWHITE: Objection. Go ahead.

7 A. Again, there are other benefits including
8 where prices start, where the SSO price starts lower.
9 I also think that it's a, again, it's expected value.
10 I believe that your statement makes it sound as if
11 any difference in price would be somehow captured by
12 AEP-Ohio after the fact, and I don't believe that's
13 correct.

14 Q. Do you recall what the total POLR charge
15 was for the 09-211 ESP?

16 A. The exact number you mean? No.

17 Q. What would be your best estimate if you
18 have any?

19 A. I just said I don't remember.

20 Q. You said you didn't remember the exact
21 number. My question is what would be the estimate if
22 you have one?

23 MR. SATTERWHITE: Objection.

24 Q. Would you accept something in the

22 (Pages 85 to 88)

Page 89	Page 91
<p>1 neighborhood of \$500 million?</p> <p>2 A. I still don't know.</p> <p>3 Q. So you never checked to determine what</p> <p>4 the total amount was for the ESP period?</p> <p>5 MR. SATTERWHITE: Objection. That's not</p> <p>6 what she said.</p> <p>7 Q. You can answer.</p> <p>8 A. No.</p> <p>9 Q. Do you know what it was on a per-year</p> <p>10 basis?</p> <p>11 A. No.</p> <p>12 Q. Do you know what the per-year POLR charge</p> <p>13 proposed by AEP from '12 to '14 is?</p> <p>14 A. I don't know the figures on a yearly</p> <p>15 basis, no.</p> <p>16 Q. Do you know what it is for the entire ESP</p> <p>17 term from 2012 through 2014?</p> <p>18 A. No.</p> <p>19 MR. ALEXANDER: Let's go off the record</p> <p>20 for just a minute.</p> <p>21 (Recess taken.)</p> <p>22 MR. ALEXANDER: Let's go back on. I have</p> <p>23 no further questions at this time. Thank you,</p> <p>24 Dr. LaCasse. If anybody on the phone would like to</p>	<p>1 one assumes is most important.</p> <p>2 Q. But you do not know for a fact that</p> <p>3 Mr. Fisher listed those factors in order of</p> <p>4 importance or in order of the percentage of the</p> <p>5 residual premium that the factors would represent,</p> <p>6 correct?</p> <p>7 A. That's right. I believe he does not</p> <p>8 quantify each of those risks but only the overall</p> <p>9 difference between the price in the auction and the</p> <p>10 visible cost components and that would include all of</p> <p>11 the risks.</p> <p>12 Q. So we cannot assume, can we, Dr. LaCasse,</p> <p>13 that by presenting the shopping risk first, that that</p> <p>14 is the most important aspect found in Mr. Fisher's</p> <p>15 testimony, correct?</p> <p>16 A. We cannot know that that's what he</p> <p>17 intended. We don't know exactly what he intended and</p> <p>18 he did not specify that. As I said, it would be</p> <p>19 usual to list the more salient characteristic or</p> <p>20 feature or risk first.</p> <p>21 Q. Now, Dr. LaCasse, there were some</p> <p>22 questions that counsel asked you with respect to your</p> <p>23 defining the risk of POLR, and I believe your</p> <p>24 response was you defined POLR as the risk associated</p>
Page 90	Page 92
<p>1 ask any questions.</p> <p>2 MS. GRADY: Yes, on behalf of OCC,</p> <p>3 Maureen Grady.</p> <p>4 ---</p> <p>5 EXAMINATION</p> <p>6 By Ms. Grady:</p> <p>7 Q. Good afternoon, Dr. LaCasse.</p> <p>8 A. Good afternoon.</p> <p>9 Q. Dr. LaCasse, you mentioned in response to</p> <p>10 questions from counsel that shopping risks, and you</p> <p>11 were referring to the NorthBridge study, shopping</p> <p>12 risks is one of the first components listed in the</p> <p>13 residual premium calculation. Do you recall that</p> <p>14 line of questioning?</p> <p>15 A. I do.</p> <p>16 Q. Were you referring to Mr. Fisher's</p> <p>17 testimony listing that item as one of the first</p> <p>18 factors?</p> <p>19 A. I was.</p> <p>20 Q. Do you know whether or not the order in</p> <p>21 which Mr. Fisher placed the components was intended</p> <p>22 to signify the importance of the components or not?</p> <p>23 A. I don't know what his intention was, and</p> <p>24 I would just assume that one would place first what</p>	<p>1 with customers leaving and the risk associated with</p> <p>2 customers returning. Do you recall those lines of</p> <p>3 questions?</p> <p>4 A. I do.</p> <p>5 Q. What is the basis of your belief that</p> <p>6 POLR includes both the return and the leaving risk?</p> <p>7 A. The basis for that is that whether one</p> <p>8 considers an EDU that has POLR obligation or one</p> <p>9 thinks about winning bidders in a competitive</p> <p>10 solicitation for SSO supply, that those risks would</p> <p>11 be assumed by an SSO provider. So that winning</p> <p>12 bidder in competitive solicitation would be</p> <p>13 compensated for bearing shopping related risk for the</p> <p>14 portion of POLR load that the bidder would serve and</p> <p>15 that would include costs from leaving and costs from</p> <p>16 returning.</p> <p>17 Q. Are the winning bidders compensated for</p> <p>18 customers leaving? Are they compensated when</p> <p>19 customers leave?</p> <p>20 A. I would assume that they would include as</p> <p>21 part of their bid prices an estimate of such cost and</p> <p>22 that they would formulate their bid prices to be</p> <p>23 compensated for customers leaving.</p> <p>24 Q. Do you know in the bid prices that you</p>

Page 93

1 present as part of your testimony whether or not the
2 bidders actually define the shopping risk as
3 customers leaving and customers returning?

4 A. Well, I don't present bid prices as such.
5 What I do know are the types of questions that
6 bidders typically ask when they are about to
7 participate in a competitive solicitation for SSO
8 type supply and that their questions and the type of
9 data that they request are -- there's always a body
10 of those questions that is to be able to estimate the
11 risks of customers leaving or returning from -- to
12 the SSO type service.

13 Q. Do the winning bidders have a reasonable
14 expectation that they will be compensated for
15 customers leaving service and switching?

16 A. As I said, they would put -- they would
17 be formulating their bid prices with that risk in
18 mind and, therefore, it would be my assumption that
19 they would include in those bid prices amounts to be
20 compensated for bearing that shopping related risk.

21 Q. Let's talk specifically about the POLR
22 risk associated with AEP-Ohio. What is the basis of
23 your belief that AEP-Ohio's POLR risk relates to the
24 return and the customers leaving?

Page 94

1 A. The basis is that the, in my view, the
2 EDU that uses its own generation to meet its SSO
3 obligation bears the same type of risks as the
4 winning bidders in a competitive solicitation for SSO
5 supply.

6 Q. Is your view based upon -- is your view
7 an independent view that you arrived at?

8 MR. SATTERWHITE: Objection. I'm not
9 sure what you're asking there.

10 Q. Let me try to rephrase it. Was it your
11 view of AEP's Ohio's POLR -- let me strike that.

12 In coming to your view of AEP-Ohio's POLR
13 risk did you review any of the statutes associated
14 with SB 221?

15 A. No.

16 Q. And are you aware of any regulatory
17 requirements associated with the providing of
18 generation service under SB 221 that relate to POLR
19 obligations of AEP-Ohio?

20 A. I don't understand the question.

21 Q. Are you familiar with any regulatory
22 requirements in Ohio that would establish any POLR
23 obligation for AEP-Ohio specifically related to
24 customers leaving?

Page 95

1 A. I'm familiar with the provisions that
2 require AEP-Ohio to provide all of its customers a
3 possibility of taking SSO service and to provide that
4 service to all of its customers.

5 Q. Are you familiar with any regulatory
6 treatment of lost generation revenues in Ohio?

7 A. No.

8 Q. Are you aware of any regulatory treatment
9 in Ohio that would indicate that electric
10 distribution utilities are guaranteed recovery of
11 lost revenues associated with generation offered
12 through a standard service offer?

13 A. No.

14 Q. Dr. LaCasse, do you believe the POLR
15 charge in Ohio is a distribution charge or a
16 generation charge?

17 MR. SATTERWHITE: Objection. Go ahead.

18 A. I don't believe it's a distribution
19 charge, and I can't -- cannot answer further.

20 Q. And on what basis do you believe it is a
21 distribution charge?

22 A. It is not a distribution charge.

23 Q. I'm sorry. I thought your testimony was
24 that you believed it is a distribution charge.

Page 96

1 A. It is not a distribution charge.

2 Q. And what is the basis of your belief?

3 A. Well, that distribution charges are
4 typically for that particular function of the company
5 for its distribution wires function and that I don't
6 believe that is such a charge.

7 Q. Do you understand that in Ohio
8 distribution charges are regulated in a different
9 method than generation charges?

10 A. I don't know the details of that, no.

11 Q. Do you know, Dr. LaCasse, whether the
12 company has booked any POLR expenses?

13 MR. SATTERWHITE: Objection. Go ahead.

14 A. No, I don't know that.

15 Q. Do you know whether for financial
16 accounting purposes the company has recognized any
17 financial risks associated with POLR?

18 A. I don't know that.

19 Q. Do you know, Dr. LaCasse, whether the
20 company has recognized any lost revenues associated
21 with its POLR responsibilities during the ESP period?

22 A. I don't know.

23 MS. GRADY: That's all the questions I
24 have. Thank you.

Page 97

1 THE WITNESS: Thank you.

2 MR. ALEXANDER: Does anyone else have any
3 questions?

4 (No response.)

5 THE WITNESS: Hearing none . . .

6 MR. ALEXANDER: We don't have
7 Mr. Randazzo for you to catch with your "one
8 question" comment this time. Hearing none --

9 THE WITNESS: I said zero questions.

10 MR. ALEXANDER: Hearing none, we're going
11 to call it a day. Thank you, Dr. LaCasse. We'll see
12 you next week.

13 THE WITNESS: Thank you.

14 MR. SATTERWHITE: Thanks. We don't waive
15 signing. We want to read it.

16 (The deposition concluded at 4:38 p.m.)
17 ---
18
19
20
21
22
23
24

Page 99

1 CERTIFICATE

2 State of Ohio :

: SS:

3 County of Franklin :

4 I, Maria DiPaolo Jones, Notary Public in and
5 for the State of Ohio, duly commissioned and
6 qualified, certify that the within named Dr. Chantale
7 LaCasse was by me duly sworn to testify to the whole
8 truth in the cause aforesaid; that the testimony was
9 taken down by me in stenotypy in the presence of said
10 witness, afterwards transcribed upon a computer; that
11 the foregoing is a true and correct transcript of the
12 testimony given by said witness taken at the time and
13 place in the foregoing caption specified and
14 completed without adjournment.

15 I certify that I am not a relative, employee,
16 or attorney of any of the parties hereto, or of any
17 attorney or counsel employed by the parties, or
18 financially interested in the action.

19 IN WITNESS WHEREOF, I have hereunto set my
20 hand and affixed my seal of office at Columbus, Ohio,
21 on this 12th day of August, 2011.
22
23
24

25 Maria DiPaolo Jones, Registered
26 Diplomate Reporter, CRR and
27 Notary Public in and for the
28 State of Ohio.

29 My commission expires June 19, 2016.
30 (MDJ-3877)
31 ---
32
33
34

Page 98

1 State of _____ :

: SS:

2 County of _____ :

3 I, Dr. Chantale LaCasse, do hereby certify
4 that I have read the foregoing transcript of my
5 deposition given on Tuesday, August 9, 2011; that
6 together with the correction page attached hereto
7 noting changes in form or substance, if any, it is
8 true and correct.
9

10 _____
11 Dr. Chantale LaCasse

12 I do hereby certify that the foregoing
13 transcript of the deposition of Dr. Chantale LaCasse
14 was submitted to the witness for reading and signing;
15 that after she had stated to the undersigned Notary
16 Public that she had read and examined her deposition,
17 she signed the same in my presence on the _____
18 day of _____, 2011.
19

20 _____
21 Notary Public

22 My commission expires _____,
23 _____
24 ---
25
26
27
28
29
30
31
32
33
34

25 (Pages 97 to 99)

1 State of _____ :
2 County of _____ : SS:

3 I, Dr. Chantale LaCasse, do hereby certify
4 that I have read the foregoing transcript of my
5 deposition given on Tuesday, August 9, 2011; that
6 together with the correction page attached hereto
7 noting changes in form or substance, if any, it is
8 true and correct.

9 _____
10 Dr. Chantale LaCasse

11 I do hereby certify that the foregoing
12 transcript of the deposition of Dr. Chantale LaCasse
13 was submitted to the witness for reading and signing;
14 that after she had stated to the undersigned Notary
15 Public that she had read and examined her deposition,
16 she signed the same in my presence on the _____
17 day of _____, 2011.

18 _____
19 Notary Public

20 My commission expires _____, _____.
21 - - -

22 I do further certify that the said
23 deposition was not examined,
24 read or signed by the witness
within the time allowed.

